

1 UNITED STATES DISTRICT COURT  
 2 FOR THE DISTRICT OF COLUMBIA

3 UNITED STATES OF AMERICA, : CA No. 99-2496(GSK)  
 4 Plaintiff, : September 29, 2004  
 5 : 9:30 a.m.  
 6 v. : Washington, D.C.  
 7 PHILIP MORRIS USA, et al., :  
 8 Defendants. :  
 . . . . .

9 MORNING SESSION  
 10 VOLUME 6  
 11 TRANSCRIPT OF TRIAL RECORD  
 12 BEFORE THE HONORABLE GLADYS KESSLER  
 13 UNITED STATES DISTRICT JUDGE

14 APPEARANCES:

15 For the Plaintiff: SHARON Y. EUBANKS, Director  
 16 U.S. DEPARTMENT OF JUSTICE  
 17 Civil Division  
 18 1331 Pennsylvania Avenue, NW  
 19 Suite 1150  
 20 Washington, DC 20004  
 (202) 616-8280

21 STEPHEN P. BRODY, DEPUTY DIRECTOR  
 22 U.S. DEPARTMENT OF JUSTICE  
 23 Civil Division  
 24 1331 Pennsylvania Avenue, NW  
 25 Suite 1150  
 Washington, DC 20004  
 (202) 616-1438

RENEE BROOKER, ASSISTANT DIRECTOR  
 U.S. DEPARTMENT OF JUSTICE  
 Civil Division  
 Tobacco Litigation Team  
 1331 Pennsylvania Avenue, NW  
 Suite 1150  
 Washington, DC 20004  
 (202) 616-3797

1 APPEARANCES: (Cont'd.)

2 For the Plaintiff:

LINDA McMAHON, ESQ.  
RUSSELL B. KINNER, ESQ.  
U.S. DEPARTMENT OF JUSTICE  
Civil Division  
1331 Pennsylvania Avenue, NW  
Suite 1150  
Washington, DC 20004  
(202) 307-0448

6

7

8

9

10

11

12 For the Defendant:  
Philip Morris USA, Inc.

13

14

15

16 For the Defendant:  
Philip Morris USA, Inc.

17

18

19

20

21

22

23

24 For the Defendant:  
Lorillard Tobacco Company

25

26

FRANK J. MARINE, SR., ESQ.  
U.S. DEPARTMENT OF JUSTICE  
Criminal Division  
Organized Crime and  
Racketeering Section  
1301 New York Avenue, NW  
Suite 700  
Washington, DC 20530  
(202) 514-0908

DAN W. WEBB, ESQ.  
THOMAS J. FREDERICK, ESQ.  
WINSTON & STRAWN  
35 West Wacker Drive  
Chicago, IL 60601-9703  
(312) 558-5700

THEODORE V. WELLS, JR., ESQ.  
JAMES BROCHIN, ESQ.  
PAUL WEISS RIFKIND WHARTON &  
GARRISON, LLP  
1285 Avenue of the Americas  
New York, NY 10019-6064  
(212) 373-3089

PATRICIA M. SCHWARZSCHILD, ESQ.  
HUNTON & WILLIAMS  
Riverfront Plaza, East Tower  
951 East Byrd Street  
Richmond, VA 23219  
(804) 788-8728

J. WILLIAM NEWBOLD, ESQ.  
MICHAEL B. MINTON, ESQ.  
THOMPSON COBURN LLP  
One US Bank Plaza  
Suite 3500  
St. Louis, MO 63101-1693  
(314) 552-6000

1 APPEARANCES: (Cont'd.)

2 For the Defendant:  
Brown & Williamson  
3 Tobacco Company

DAVID M. BERNICK, ESQ.  
RENEE D. HONIGBERG, ESQ.  
DAVID E. MENDELSON, ESQ.  
KIRKLAND & ELLIS  
200 East Randolph Drive  
Chicago, IL 60601  
5 (312) 861-2248

6 For the Defendant:  
Brown & Williamson  
7 Tobacco Company

KENNETH N. BASS, ESQ.  
KIRKLAND & ELLIS  
655 15th Street, NW,  
Suite 1200  
8 Washington, DC 20005  
(202) 879-5000

9  
10 For the Defendant:  
R.J. Reynolds Tobacco Company

ROBERT F. McDERMOTT, JR., ESQ.  
PETER J. BIERSTCKER, ESQ.  
JONATHAN M. REDGRAVE, ESQ.  
JONES DAY  
51 Louisiana Avenue, NW  
12 Washington, DC 20001  
(202) 879-3939

13  
14 For the Defendant:  
British American  
Tobacco (Investments), Ltd.

DAVID WALLACE, ESQ.  
CHADBOURNE & PARKE, LLP  
30 Rockefeller Plaza  
34th Floor  
15 New York, NY 10112  
(212) 408-5498

16  
17 For the Defendant:  
Liggett Group, Inc.

AARON H. MARKS, ESQ.  
NANCY ELIZABETH STRAUB, ESQ.  
KASOWITZ, BENSON,  
TORRES & FRIEDMAN  
1633 Broadway  
18 New York, NY 10019  
(212) 506-1700

19  
20  
21 For the Defendant:  
Tobacco Institute

JAMES A. GOOLD, ESQ.  
COVINGTON & BURLING  
1201 Pennsylvania Avenue, NW  
22 Washington, DC 20009  
(202) 662-6000

23  
24

25

1 APPEARANCES: (Cont'd.)

2 For the Defendant:  
3 British American Tobacco

BRUCE SHEFFLER, ESQ.  
CHADBOURNE & PARKE  
30 Rockefeller Plaza  
New York, NY 10112  
(212) 408-5100

5

6

7

8

9

10

11

12

13

14

15

16

17 Court Reporter:

EDWARD N. HAWKINS, RMR  
Official Court Reporter  
Room 6806, U.S. Courthouse  
Washington, D.C. 20001  
(202) 682-2555

19

20

21

22

23

24

25

1 P R O C E E D I N G S

2 THE COURT: Good morning. This is United States of  
3 America versus Philip Morris. CA-99-2496.

4 And I assume that at this point the government ready  
5 with Dr. Samet.

6 MS. EUBANKS: That's correct, Your Honor. We are ready  
7 to call Dr. Samet to the stand.

8 THE COURT: Would you do that, please?

9 THE DEPUTY CLERK: Please remain standing and raise  
10 your right hand.

11 JONATHAN M. SAMET, Government's witness, SWORN

12 DIRECT EXAMINATION

13 BY MS. EUBANKS:

14 Q. Good morning, Dr. Samet. One of the things that we're  
15 attempting to get for you is a laser pointer which I think will  
16 facilitate the testimony today. So if someone has it, could you  
17 just pass it up.

18 MS. EUBANKS: But we can certainly get started right  
19 away, Your Honor. The first thing I'd like to do, with Your  
20 Honor's permission, is approach the witness and provide him with  
21 his written direct testimony.

22 Q. I've just handed you your written direct testimony that was  
23 filed with the court earlier this month on the 20th of September  
24 in two separate volumes.

25 Are you familiar with this document, Dr. Samet?

1 A. Yes, I am.

2 Q. Do you need to make any changes or corrections in the  
3 testimony that I've given you that was filed earlier in this  
4 case?

5 A. Well, I would note that, as I continued to reread this  
6 lengthy testimony, I found some typographical errors. And I did  
7 note that in one point I referred to the EPA designation as a  
8 Class A carcinogen when I meant Group A.

9 THE COURT: Do you have -- excuse a minute. I don't  
10 know that we need to take any time on typographical errors, but  
11 if there's anything substantive, such as what you just  
12 mentioned, that you think the record really should reflect, if  
13 you happen to have the page citation, it might be good to get  
14 that in the record.

15 THE WITNESS: I'll provide that.

16 THE COURT: Good. Thank you.

17 MS. EUBANKS: And with respect to the testimony that's  
18 referenced, Your Honor, I'll just note for the court that the  
19 testimony references an exhibit that is U.S. 17,206. That  
20 should really read at 17,304. So as the testimony references  
21 17,206, if we substitute 17,304, and defendants have been  
22 advised of that change as well.

23 I'd like to hand Dr. Samet the laser pointer

24 THE COURT: Good. We needed that earlier.

25 BY MS. EUBANKS:

1 Q. Do you know how to operate that one? I think you do.

2 All right. I'd like to spend some time with an exhibit  
3 that we prepared that is a demonstrative that will take  
4 Dr. Samet through his conclusions, and if we could get that up  
5 and the screen.

6 What I'd like to do, Dr. Samet, is you have it before  
7 you here, but also you can see it on the screen. Are you able  
8 the see the screen in front of us?

9 A. Yes, I am.

10 MS. EUBANKS: All right. Before I do that, Your Honor,  
11 I suppose what I should do is make a proffer. We proffer  
12 Dr. Samet as an expert in the area of epidemiology and science  
13 of smoking and health, pulmonology and internal medicine.

14 THE COURT: Any objections?

15 MR. McDERMOTT: No objection.

16 MS. EUBANKS: Thank you.

17 THE COURT: He may be accepted as an expert in those  
18 areas.

19 BY MS. EUBANKS:

20 Q. Dr. Samet, directing your attention to the screen with the  
21 first three slides, can you describe for us the significance of  
22 the information on those slides to your opinions expressed in  
23 U.S. v Philip Morris?

24 THE COURT: Is that slide just a little fuzzy,  
25 everybody, or is it still early in the morning for me?

1 MS. EUBANKS: It's a little fuzzy, Your Honor, but it's  
2 still early in the morning, too. I don't think we can make it  
3 any more clear, but hopefully through the testimony of Dr. Samet  
4 it will all come into focus.

5 A. If I could comment. These first three slides address some  
6 of the early history of tobacco which was a new world plant used  
7 by the Indians for ceremonial purposes brought back by Columbus  
8 to Europe at his first voyage and its use soon spread throughout  
9 Europe.

10 I think what's important to note that its early use was  
11 largely in the form of snuff and in the form of oral tobacco,  
12 chewed tobacco, with some pipe and cigar, and some minor use of  
13 tobacco in the form of hand-rolled cigarettes at the time.

14 Q. All right. I'd like to invite your attention, Dr. Samet, to  
15 the next two slides and I would like to take those together.

16 Mr. Jackson, if you could pull over to the 1880s and  
17 1913.

18 The 1880 slide indicates the rise of modern cigarette  
19 history and then next to that for 1913 we have a pack of Camels.  
20 What do these slides demonstrate in relation to your opinions  
21 given here?

22 A. Well, the stage for the epidemic of tobacco-caused disease  
23 that began the last century was really set at the end of the  
24 19th Century when the development of the automatic cigarette  
25 manufacturing machine, the Bonsack machine, made possible the



1 manufacture of cigarettes in large numbers and cheaply; and, of  
2 course, at the same time there was a rise of a large industry in  
3 the United States and elsewhere to manufacture, distribute, and  
4 sell the cigarette.

5 And one difference between the cigarette and the other  
6 forms of tobacco use was that the smoke was inhaled deeply into  
7 the lung and, as will be discussing, this of course led to the  
8 cause of -- causation of lung cancer and other diseases.

9 Q. Dr. Samet, in terms of leading to the cause of lung cancer  
10 and other diseases, would you describe that as a epidemic?

11 A. Well, the epidemic began, lung cancer and other diseases,  
12 across the last century and I'll be addressing its rise over  
13 that time.

14 Q. The next thing I'd like to do is call up an animation and  
15 ask you to explain how it is that toxins get into the body from  
16 smoking a cigarette.

17 A. I'm just going to go through a little bit of basics of how  
18 the lungs and heart work. I thin this is a foundation for why  
19 the cigarette is such a potent cause of disease in the lungs and  
20 other organs.

21 This is simply the anatomy, the respiratory tract and,  
22 of course, the mouth, the trachea bringing air into the lungs,  
23 the lungs, and the heart shown here.

24 The lungs have tubes, the bronchi, that bring the smoke  
25 or air out to the gas exchanging surface or alveoli. These

1 tubes branch many times. And, in fact, the lung has a very  
2 large surface area, that I'll be describing in a minute, across  
3 which gasses exchange.

4 So here we are now down to the level of the alveoli  
5 which is where gas exchange actually takes place. The area of  
6 these alveoli, if they were laid out, is roughly the equivalent  
7 to that of a tennis court. So it's a remarkable surface for gas  
8 exchange.

9 THE COURT: Dr. Samet, could you go little more slowly?

10 THE WITNESS: Yes.

11 THE COURT: Thank you.

12 A. Of course, as we breathe in, oxygen exchanges across the  
13 alveoli moving into the capillaries and carbon dioxide, a  
14 product of metabolism, moves out of lung as we exhale.

15 So we are just seeing this repeated now with oxygen  
16 coming in and going out in the capillaries to be circulated  
17 through the body and carbon dioxide leaving. So this is the  
18 structure of the alveoli.

19 And now we are going to, in a moment, see this -- here  
20 is, of course, the heart beating with the lungs. And now we are  
21 going to move on and look at what happens with smoking.

22 And, of course, as the smoker inhales the smoke follows  
23 the same path that the air followed, moving through the bronchi  
24 and out to the alveoli, that surface that's there for exchanging  
25 gasses.

1           So in with the smoke will come, of course, the various  
2   components of tobacco smoke, which will reach to the alveoli, so  
3   here we're back to the level of one of those alveoli.

4           And now we have, of course, oxygen coming in, but  
5   perhaps various toxins and carcinogens, gasses like carbon  
6   monoxide. We would have nicotine, which is in the smoke,  
7   actually bound to the particles.

8           And just like the oxygen moving across and going into  
9   the blood, some of these components move across and go into the  
10  blood and, in fact, some of the tar's retained in the lung.

11          So we can see then, just shown schematically, that  
12  nicotine, various toxins like carbon monoxide and carcinogens  
13  like benzene, would move on and enter the bloodstream and then  
14  be circulated throughout the body.

15  Q.   Thank you, Dr. Samet.

16          I'd like to return to the time line that we have up and  
17  now I'd like to focus your attention on the slide indicating  
18  World War 1. What I'd like to do is have you discuss the  
19  significance of the World War 1 slide alongside of the next  
20  slide, which is 1935, and can you tell us the significance of  
21  the smoking rates that are indicated there?

22  A.   I would just like to talk for a minute about the patterns of  
23  smoking across the last century.

24          Men began to smoke in larger numbers, higher  
25  percentages, before women. So, for example, by 1935 a majority

1 of adult men in the United States were smoking but only  
2 18 percent of women. For men, the time around World War 1 was a  
3 time when smoking was -- uptake was more rapid among men.

4 I think one thing that is important about these  
5 patterns is that they're followed by the epidemics of lung  
6 cancer and other diseases caused by smoking which were first  
7 apparent in men, and with about a 20-year delay reflecting the  
8 differences in smoking patterns between men and women, we will  
9 see the rise of smoking-caused diseases in women.

10 Q. I'd like to ask you a question about -- well first, can you  
11 tell us what is the first published study to show a relationship  
12 between smoking and premature death?

13 A. Well, to my knowledge, the first published study was that  
14 carried out by Raymond Pearl, who is actually the professor of  
15 biostatistics at my school, the Johns Hopkins School of Public  
16 Health.

17 Dr. Pearl was following families in east Baltimore.  
18 And in a study published in the Journal of Science in 1938,  
19 Dr. Pearl showed that what he called heavy smokers -- which is  
20 the line shown over here -- had on average about eight years  
21 less median life expectancy than nonsmokers.

22 This paper was important for showing that smoking had  
23 such a powerful effect that it could reduce the overall survival  
24 rate of people who smoked by eight years compared to those who  
25 did not smoke.

1 Q. Is the Pearl study what you would call a epidemiological  
2 study?

3 A. Yes. This was an epidemiological study, a study that we  
4 would today call a cohort study.

5 Q. Dr. Samet, if you could, the court reporter has to make a  
6 verbatim transcript and sometimes I know you and I speak  
7 quickly, so I will slow down and perhaps that will help you slow  
8 down as well. Okay?

9 A. We will both try and slow down.

10 Q. Now, with respect to the next, is there -- who is that?

11 A. This is Dr. Pearl. I think we can move on.

12 Q. Now, with respect to 1939, there's been other testimony in  
13 the case about Oxner and DeBakey.

14 Can you tell us the significance of their study in  
15 1939?

16 A. Well, across the 20s and 30s, as often happens with new  
17 epidemics, the physicians caring for patients note that they  
18 were seeing, for example, more cases of lung cancer and began to  
19 describe their experience in the medical literature.

20 This is a report by Oxner and DeBakey 1939 describing  
21 pneumonectomy, taking out a lung for treatment cancer of the  
22 lung. They describe seven cases.

23 This is the same Dr. Oxner who went on and found the  
24 Oxner clinic in Louisiana, and Michael DeBakey, the well known  
25 cardiovascular surgeon.

1           This report is important for the hypothesis offered by  
2       Oxner-DeBakey that perhaps smoking was contributing to the rise  
3       of lung cancer by causing irritation of the lung.

4           THE COURT: I'm sorry. Did you say contributing to the  
5       demise of lung cancer?

6           THE WITNESS: The rise.

7           THE COURT: The rise?

8           THE WITNESS: The rise.

9       BY MS. EUBANKS:

10      Q. Speak slowly, Dr. Samet.

11           Now, moving on to the next slide, please. We had  
12      looked at World War I and smoking rates. What can you tell us  
13      of the significance of the chart on the screen and with  
14      particular emphasis on the other highlighted portion regarding  
15      the increase in smoking?

16      A. Yes. This slide shows the number of cigarettes smoked per  
17      capita by adults in the United States, including smokers and  
18      nonsmokers, so all persons 18 years of age and older.

19           And you can note that the peak here was about 4,000  
20      cigarettes or roughly 200 packets per year per adult.

21           The yellow simply highlights the time of World War II  
22      and you can see the very sharp increase in the number of  
23      cigarettes smoked at that point historically. And we know that  
24      this is a time when women began to smoke in somewhat larger  
25      numbers than before.

1 Q. Now, what was the first community-based cohort study on  
2 chronic disease?

3 A. Well, we turn now to the Framingham study which was started  
4 in the late 1940s by our Public Health Service. The study was  
5 started at a time when coronary heart disease and stroke rates  
6 were rising. And the Framingham study was started to try and  
7 understand what were the causes of this increase.

8 The Public Health Service went to Framingham, which is  
9 a small town near Boston, and enrolled people from the town:  
10 obtained medical information about them, obtained information  
11 about their smoking and cholesterol, and then followed them over  
12 time to look at the rates of heart attack, sudden death, stroke,  
13 and other cardiovascular diseases in this population.

14 And it was this study, along with others, that provided  
15 some of the early evidence that smoking was strongly associated  
16 with risk for heart attack and stroke.

17 Q. Does this study continue today?

18 A. Of course, most of the original participants are now  
19 deceased, but in fact their children are under follow-up in  
20 further studies of cardiovascular and other diseases.

21 Q. Can we move to the next slide?

22 Now, the 1950s was -- was the 1950s an important  
23 decade?

24 A. I think the 1950s in terms of studies on smoking health were  
25 a very important decade with many studies carried out.

1 Q. Why don't you tell us about some of those as we see depicted  
2 on the screen?

3 A. Let me speak first to 1950 and studies of smoking and lung  
4 cancer.

5 I think in 1950 there were five publications of what  
6 are called case control studies, studies where the smoking of  
7 people with lung cancer was compared to that of people without  
8 lung cancer controls.

9 Three of the best known studies are listed here. That  
10 by Doll and Hill in the United Kingdom, a study carried out in  
11 London which showed a very strong association between smoking  
12 and lung cancer risk.

13 In the United States, two studies were published in the  
14 Journal of the American Medical Association, one by Wynder and  
15 Graham. Wynder carried out his study as a medical student and  
16 Graham was a thoracic surgeon, a well-known thoracic surgeon,  
17 who he worked with. In fact, Graham did the first pneumonectomy  
18 for the treatment of lung cancer. This study also showed an  
19 association of smoking with lung cancer.

20 And finally, Levine and colleagues -- Levine was  
21 another person who became a member of my department at Johns  
22 Hopkins -- published a similar study to that of Wynder and  
23 Graham in the same issue of the Journal of the American Medical  
24 Association.

25 Q. Let's look at the next slide, please, on the 50s.



1                   And can you describe the cohort studies that are  
2                   referenced here?

3           A.   Yes.  I was just talking about case control studies, and now  
4           I'm going to move on to cohort studies, studies where people  
5           were followed over time, smokers and nonsmokers.

6                   And one of the most important and notable of these was  
7           the British doctors study that was again started by Doll and  
8           Hill who had also done the case control study.

9                   I think importantly they said these retrospective case  
10          control studies had provided strong association, now let's move  
11          and get prospectively collected evidence.

12                   And in this study they followed 34,000 men and about  
13          6,000 female physicians, and looked at their mortality from lung  
14          cancer and other diseases over what is now a 50-year interval.

15                   The veterans' cohort study is another representative  
16          study from the United States, this one involving about 250,000  
17          U.S. veterans, and again followed for many years, providing us  
18          important information about the risks of smoking and what  
19          happens when people stop.

20          Q.   You said it involved 250,000 veterans.  In terms of size,  
21          what kind of study was this considered at the time?

22          A.   Of course, this was a very large study at the time, and in  
23          fact, by the end of that decade the American Cancer Society had  
24          started its first cohort study of 1 million Americans.

25          Q.   All right.  Let's move to the next slide.

1           There is a reference in 1955 to smoking rates. Can you  
2 tell us the significance of that information?

3       A. Well, looking at these data we can see that the rate in men  
4 remained quite similar to what I had shown earlier, and there's  
5 a further increase in the rate of smoking in women above the  
6 approximately 18 percent figure I showed earlier to now about  
7 25 percent. And again, part of the importance of these figures  
8 is in interpreting the epidemics of disease that were to follow.

9       Q. All right. Let's see the next slide, please.

10           Now, in 1957 there's a statement from the Surgeon  
11 General. Can you tell us the significance of his statement  
12 regarding excessive cigarette smoking to your opinions expressed  
13 here?

14           MR. BERNICK: Your Honor, I have an objection. This is  
15 the only time I will rise because this is Mr. McDermott's  
16 witness, but this is an issue we didn't foresee. Neither his  
17 expert report or the reliance --

18           THE COURT: Would you come forward so we can hear you?

19           MR. BERNICK: I'm sorry. Neither the expert report nor  
20 the reliance materials for the expert report, nor as I've looked  
21 through the direct examination, did I see that this witness was  
22 going to be a state-of-the-art witness with respect to the  
23 studies that were done in the 1950s.

24           There's no expression of any view about when consensus  
25 arose and there's no reference to this document.

1           THE COURT: I don't hear him talking about consensus at  
2 this point.

3           MR. BERNICK: I understand that, but that's what I  
4 wanted to make sure didn't happen.

5           But this document in particular is not on his reliance  
6 list. I don't even think it's referenced in the direct  
7 examination.

8           And that also applies to the 1959 statement by  
9 Mr. Burney, and there's a reference to some G and C document. I  
10 believe that that's correct, and that's the best that I can  
11 determine here. But I don't believe that these materials -- I  
12 know this weren't reference in this expert report in this case.

13          MS. EUBANKS: Your Honor, first I can say that a copy  
14 of this demonstrative has been provided to defendants with all  
15 of this information.

16          We've been instructed not to reiterate everything in  
17 the expert report, and this is entirely proper.

18          He's expressing the significance of certain findings,  
19 which I think if we just get through the examination, it will  
20 become clear.

21          As you noted, there is no reference to consensus. We  
22 have the two volumes of his expert report and those that are the  
23 opinions that he's expressing here. It's not our intent to go  
24 outside of that information.

25          Insofar as the objections that are raised to the

1 materials, we intend to proffer, and there are a host of  
2 objections that defendants have raised, and we've already  
3 briefed that. I would urge the court to let us continue with  
4 the testimony, and besides which Order 471 does not really  
5 permit this teaming up.

6 As Mr. Bernick says, this is the only time he's going  
7 to rise. Your order is clear on this. He's not even examining  
8 the witness. It's not appropriate for him to raise an  
9 objection.

10 THE COURT: Mr. McDermott, do you want to second  
11 Mr. Bernick's objections, I gather?

12 MR. McDERMOTT: I not only second it. I will say  
13 Mr. Bernick will examine if the court does permit Dr. Samet to  
14 go into areas that we think are beyond the scope of his direct  
15 and beyond the scope of his expert report because we are not  
16 prepared on the basis of what we received from the government to  
17 deal with this, at least I am not.

18 But I would also say, Your Honor, while we are  
19 interrupting things, that what the government is doing here  
20 doesn't comport with our understanding of the purpose of this  
21 one-hour direct which is to explain complicated charts, graphs  
22 and exhibits.

23 This is just a slide show, a talking history. There is  
24 nothing complicated about what is going on here. And it seems  
25 to us this is a violation of the spirit of what the court

1 intended.

2 MS. EUBANKS: Your Honor, if there's nothing  
3 complicated about it, with your permission I'd like to proceed  
4 with our examination.

5 Certainly there was nothing in the order that suggested  
6 it needed to be complicated. Rather what the order expressly  
7 said is that the parties, if they had demonstratives that they  
8 wished to use with their witnesses, that they could have  
9 one hour to do that. We gave copies of the demonstratives --

10 THE COURT: The objection is overruled. The government  
11 may proceed.

12 The direct examination certainly references some charts  
13 that I am sure the government, or I expect that the government  
14 will get to in its demonstrative this morning.

15 So why don't you proceed, Ms. Eubanks.

16 BY MS. EUBANKS:

17 Q. Dr. Samet, I had asked you a question about Surgeon General  
18 Burney's statement regarding excessive cigarette smoke. Can you  
19 answer that now or do you need it read back?

20 A. No, I recall the question.

21 This is a statement prepared by Surgeon General Burney  
22 and published in 1957. This is a time when the rising evidence  
23 had attracted the attention of the public health authorities,  
24 and Surgeon General Burney authored a comment in part based on a  
25 review carried out by a group that had looked at this evidence.

1           One of his statements is here with regard to cigarette  
2     smoking and lung cancer, just noting that there is an  
3     increasingly consistent body of evidence that excessive  
4     cigarette smoking is one of the causative -- I would say he  
5     meant causal factors in lung cancer.

6     Q. All right. Could we see the next slide, please?

7           What is the significance of the prototype evidence  
8     reviewed that occurred in 1959 as depicted here?

9     A. Well, this is a report --

10           MR. BERNICK: Excuse me. Your Honor, for the record we  
11     have the same objection to this slide and the next slide, just  
12     so the record is clear.

13           THE COURT: The objection is overruled.

14           Go ahead, please.

15     A. This is a paper published in the Journal of the National  
16     Cancer Institute. I think it's important because it represented  
17     a systematic review, a careful look at the evidence on smoking  
18     and lung cancer carried out by a notable group of public health  
19     researchers.

20           They carefully looked at the evidence on smoking and  
21     lung cancer and considered whether alternatives to causation by  
22     smoking were likely to explain the findings of the  
23     epidemiological studies.

24           Their conclusion was that the evidence was consistent  
25     with causation of lung cancer by smoking.

1 Q. Can we see the next slide, please?

2 This indicates Surgeon General LeRoy Burney's statement  
3 regarding smoking and lung cancer. Can you briefly explain the  
4 significance of that to your opinions?

5 A. This was a further statement by Surgeon General Burney,  
6 again, based on an evidence review. His conclusions -- several  
7 of the conclusions are highlighted here from this report.

8 The weight of the evidence at present implicates  
9 smoking as the principal etiological -- I'll translate that.  
10 Etiology means cause -- factor in increased incidence of lung  
11 cancer.

12 There's a second conclusion related to treating tobacco  
13 or filtering the smoke that I've shown here.

14 Q. All right. And that's shown on the screen currently?

15 A. Correct.

16 And then this last conclusion relates to the fact  
17 simply that higher risks were seen in smokers compared to  
18 nonsmokers in many different environments, suggesting that  
19 smoking was the explanatory factor for the increase.

20 Q. All right. Could we see the next slide, please?

21 Now, in 1962, you have shown here the Royal College of  
22 Physicians' report. What is the significance of that report to  
23 your opinions expressed today?

24 A. Well, this report was prepared by the Royal College of  
25 Physicians in the United Kingdom.

1           It was important because it was a health organization  
2     stepping back and looking systematically at the evidence on  
3     smoking and disease, reaching a conclusion shown here two years  
4     before the '64 Surgeon General's report, cigarette smoking is  
5     the cause of lung cancer and bronchitis.

6           That would refer to what we now call chronic  
7     obstructive pulmonary disease, or COPD, and probably contributes  
8     to the development of coronary heart disease. And, of course,  
9     today many medical organizations have issued similar statements.

10    Q. I'm going to ask you again to speak a little slower. And  
11    let's turn to the next slide, please.

12           Now, there's been a lot of testimony in the case,  
13    including in your written direct, on the 1964 Surgeon General's  
14    report.

15           Can you summarize the significance of the conclusions  
16    or the statements that we see depicted here to your opinions  
17    expressed?

18    A. Well, the 1964 report often referred to as a landmark  
19    represented a systematic review of all of the evidence.

20           The Surgeon General was asked to convene a  
21    multidisciplinary team of experts, people who were known not to  
22    have expressed prior views on the smoking health issue.

23           They went through all of the evidence. They developed  
24    criteria for evaluating the evidence. In fact, an approach we  
25    still use with the Surgeon General's reports and elsewhere in



1 public health. They developed criteria for evaluating  
2 causality, often referred to as the Surgeon General's criteria,  
3 and some of their conclusions are shown here.

4 Cigarette smoking is causally related to lung cancer in  
5 men. The data for women were less extensive because the rise of  
6 lung cancer was slower in women and fewer women had been  
7 included in studies.

8 They also offered a conclusion -- causal conclusions on  
9 laryngeal cancer and chronic bronchitis. And there was a  
10 comment on coronary heart disease noting that there was an  
11 association, but they were not so strong in their language with  
12 regard to causation.

13 Q. Could we see the next slide?

14 What can you tell us about this gathering and why you  
15 were interested in depicting that?

16 A. Well, this is simply the release of the report with Surgeon  
17 General Terry and behind him his panel.

18 I would just say that the Surgeon General continues to  
19 release reports to the public with press conferences to  
20 disseminate the findings of these reports.

21 Q. May we see the next slide, please?

22 Now, this is the 1972 Surgeon General's report. In  
23 terms of the conclusions regarding passive smoking, what can you  
24 tell us about that report?

25 A. This is a report from Surgeon General Jessie Steinfeld.

1 This was the first report in which the exposure of nonsmokers to  
2 tobacco smoke was addressed as a topic.

3 In one of the sections of the report, the information  
4 on levels of tobacco smoke components in indoor environments was  
5 reviewed, and Surgeon General Steinfeld made some comments about  
6 this.

7 Q. And those comments, are those depicted on the screen now?

8 A. This is one of the comments from the report.

9 He simply noted that tar and nicotine levels in  
10 sidestream smoke, which is the principal source of what we would  
11 now call second-hand smoke, or environmental tobacco smoke, may  
12 be higher than those of mainstream smoke, and he said may be  
13 harmful to the nonsmoker.

14 Q. All right. I'd like to go to the next slide, please.

15 Now, this is the Surgeon General's report in 1979 and  
16 it notes on the screen that it expresses an opinion that there  
17 is a causal link between smoking and coronary heart disease.

18 What is the significance of that finding in 1979 to  
19 your opinions?

20 A. Well, the 1979 report was a very comprehensive review of the  
21 evidence and in it the Surgeon General stated that smoking  
22 causes coronary heart disease, although the conclusion was  
23 prefaced by some languages in relationship to purposes of  
24 preventive medicine.

25 Q. Now, I'd like to move to 1981. If we could have the slide

1 on the 207, please.

2 What is the Hirayama study?

3 A. Well, let me comment that in 1981 two epidemiological  
4 studies were published on second-hand smoke exposure and lung  
5 cancer risks in nonsmokers.

6 There had been studies earlier on the risks of  
7 second-hand smoke particularly related to adverse respiratory  
8 effects in children. These two studies: one from Dr. Hirayama  
9 in Japan and another from Dr. Trichopoulos and colleagues --

10 Q. Could you spell Trichopoulos, please? Slowly.

11 A. T-r-i-c-h-o-p-o-u-l-o-s.

12 Q. Okay.

13 A. In Greece, obviously.

14 Both showed an association between passive smoking and  
15 lung cancer risk.

16 Q. Now, what can you tell us about the chart that we see from  
17 the Hirayama study on the screen now?

18 A. Well, Dr. Hirayama's study was a cohort study, so he was  
19 following women over time who were nonsmokers. He measured the  
20 rate of lung cancer in women who were nonsmokers themselves and  
21 married to a nonsmoker. So that's the number 8.7, the rate per  
22 hundred thousand per year.

23 He also looked at the rate in women who were  
24 nonsmokers but who were married to a husband who smoked, and  
25 that rate was 15.5, approximately double the 8.7 rate if the

1 husbands were nonsmokers.

2 And then finally he provided information on the risks  
3 in those women who themselves smoked, and you can see that that  
4 rate, 32.8, was the highest, about four times that of the women  
5 who were not passively exposed to tobacco smoke.

6 Q. How did Hirayamas findings compare with those of  
7 Trichopoulos?

8 A. Generally they were similar.

9 Q. Let's move to the next slide, please.

10 Now, in 1981 the Surgeon General issued a report called  
11 The Changing Cigarette. What did that refer to.

12 A. Well, by 1981 there had been many changes in cigarettes  
13 since the 1950s. Of course, there had been a change from  
14 smoking of non-filtered to filtered cigarettes, and there had  
15 also been the introduction of ventilation of the cigarettes, and  
16 this report reviewed that evidence from a number of  
17 perspectives.

18 Q. All right. The next slide, please.

19 Now, in 1986 the Surgeon General issued a report  
20 focusing on passive smoking. What can you tell us about the  
21 Surgeon General's report that's identified here on the screen  
22 now?

23 A. Well, let me say first, in 1986 there were three reports  
24 issued that had conclusions on passive smoking and lung cancer.

25 This report from the Surgeon General, a report from the

1 International Agency For Research on Cancer of the World Health  
2 Organization and also a report from the U.S. National Research  
3 Council or the National Academy of Sciences, and all three  
4 reached the conclusion that passive smoking was a cause of lung  
5 cancer risk.

6 The Surgeon General's report also provided conclusions  
7 on children in addition to its conclusion on passive smoking  
8 and lung cancer.

9 Q. Was there a prior report to 1986 that the Surgeon General  
10 had dedicated to second-hand smoke or passive smoke?

11 A. There had been chapters in selective reports, but there had  
12 not been a report that was devoted to this topic.

13 Q. All right. Let's move on to 1988 and the Surgeon General's  
14 report that -- generally speaking, just very briefly, can you  
15 tell us about the conclusions of that reported in 1988?

16 A. Well, this is another report from Surgeon General Koop, and  
17 this one again focused on a single topic, nicotine addiction,  
18 reaching the conclusion that smoking was addicting and that  
19 nicotine was responsible.

20 Q. All right. Can we move to the next slide, please?

21 Now, in 1989 there was another Surgeon General's report  
22 that I'd like you to tell us basically what the conclusion was  
23 and its significance.

24 A. Well, I think this report, of course, was a 25th anniversary  
25 report of the 1964 report. It's labeled 25 Years of Progress

1       and addresses some of the gains that had been made in tobacco  
2       control.

3               It also reviewed the evidence on health effects, and  
4       did offer the conclusion that smoking remained the single most  
5       preventable cause of disease in the United States.

6       Q.   Is that the conclusion that's depicted on the screen now?

7       A.   Yes, it is.

8       Q.   All right.  Why don't we move now to the next slide, which I  
9       believe is 1992.

10             Can you tell us the significance of the EPA's findings  
11       here regarding second-hand smoke to your opinions?

12       A.   Well, the EPA and its 1992 risk assessment covered  
13       systematically the evidence on second-hand smoke and lung cancer  
14       and also looked at the evidence on exposure of children to  
15       second-hand smoke and certain respiratory diseases.

16             It did reach the conclusion that second-hand smoke --  
17       or, actually, the report called it ETS -- is a human group A  
18       carcinogen.

19       Q.   And ETS stand for what?

20       A.   Environmental tobacco smoke.

21       Q.   I noticed that in your report you reference passive smoking  
22       instead of ETS for the largest part.  Why is that?

23       A.   Well, there are a number of different designations that have  
24       been used for this.  I prefer using second-hand smoke to ETS for  
25       the mixture of tobacco smoke components in the air and, in

1       general, use the term involuntary smoking or passive smoking for  
2       the exposure of people to this mixture.

3       Q. All right. I'd like to take the next three slides as a  
4       group and I'd like you to discuss the significance of these  
5       three slides. First, let me ask this question.

6               Do they all deal with passive smoking or second-hand  
7       smoke?

8       A. Those are among the topics covered. The UK report had some  
9       other issues covered as well.

10      Q. Let's take the first one and, if you would, just take us  
11      through 1997, '98, and '99 and the significance of those  
12      reports, please.

13      A. Well, I think what is important about these reports is that  
14      they carefully reviewed the now very extensive evidence on  
15      passive smoking and child health, and their conclusions were  
16      quite similar, stating that passive smoking, particularly  
17      smoking by parents, caused more lower respiratory illnesses in  
18      children, more chronic symptoms like cough or wheeze in children  
19      exposed to tobacco smoke; that if the parents smoked, the lung  
20      growth, the development of the lung, was actually slowed  
21      compared to children whose parents did not smoke.

22               If a child had asthma, the asthma was made worse by the  
23      exposure to second-hand smoke, and also children whose parents  
24      smoked have more problems with their ears, with the middle ear,  
25      with chronic ear problems.

1           There were also conclusions in two of the reports, the  
2 California report and this report from the United Kingdom on  
3 passive smoking and coronary heart disease.

4           Again, there had been new evidence, epidemiological and  
5 experimental, on the risks of coronary heart disease in  
6 nonsmokers exposed to tobacco smoke, and both of these reports  
7 reached the conclusion that passive smoking should be considered  
8 a cause of coronary heart disease.

9       Q. Just so that your testimony is clear. When you say "both of  
10 these reports," can you identify what you mean by these?

11      A. I'm referring to the California EPA report and the UK report  
12 of the Scientific Committee on Tobacco and Health.

13      Q. Thank you. Let's move to 2001 and the Institute of  
14 Medicine, sometimes referred to in your testimony as IOM, and  
15 the publication Clearing the Smoke.

16           Can you tell us the significance of this, please?

17      A. Well, the Institute of Medicine is, of course, the medical  
18 arm of the National Academy of Scientists.

19           This report addressed the topic of harm reduction; that  
20 is, can the risks of smoking -- tobacco use be reduced, and it  
21 covered the evidence on lower machine-measured yield of  
22 cigarettes.

23      Q. Is that what we see depicted on the screen?

24      A. This is one of the conclusions from the Institute of  
25 Medicine reports.



1           Most current assessments of morbidity and mortality  
2 suggests that low yield products are associated with far less  
3 health benefit, if any, than would be predicted based on  
4 estimates of toxic exposure using Federal Trade Commission  
5 machine-measured yields.

6       Q. You will particularly need to slow yourself down when your  
7 reading from something. I know it's a natural tendency to go a  
8 little faster. It will be very helpful for our reporter if you  
9 could try to speak a slower.

10           All right. Can we look at the next slide, please?  
11 Again it's for 2001, and there's been a previous references to  
12 Monograph 13. Can you tell us the significance of Monograph 13?  
13 A. Monograph 13 is obviously the 13th monograph in a series of  
14 monographs or books on tobacco control, smoking tobacco control,  
15 published by the National Cancer Institute.

16           And this particular volume had this cumbersome title  
17 Risks Associated with Smoking Cigarettes with Low Machine  
18 Measured Yields of Tar and Nicotine. So that was the topic of  
19 this report.

20       Q. All right. What is it that we see depicted on the screen  
21 for Monograph 13?

22       A. Well, this is one of the conclusions from Monograph 13.  
23 Widespread adoption of lower yield cigarettes by smokers in the  
24 United States has not prevented the sustained increase in lung  
25 cancer among older smokers.

1 Q. All right. Next slide. Now, that takes us up to the IARC  
2 report of 2002. Could you tell the court what IARC is?

3 A. IARC, or I-A-R-C, is the International Agency for Research  
4 on Cancer. It's the World Health Organization's arm that deals  
5 with cancer and it puts out a series of reports, IARC monographs  
6 that evaluate carcinogenicity.

7 This report, Volume 83, covered the topic of both  
8 active smoking and involuntary smoking, and I would note that I  
9 was the chair of the group that met to develop this volume.

10 Q. All right. If we could see the next slide with a statement  
11 that's found at page 1413 of the report.

12 Can you tell us the significance of that?

13 A. Well, this is a one of the conclusions in the report  
14 describing the classification by the IARC of second-hand smoke.  
15 Involuntary smoking is carcinogenic to humans, group 1, which  
16 means there's --

17 MR. McDERMOTT: Objection, Your Honor.

18 I may be mistaken, but I believe this was a 2004  
19 report, and it's covered by Your Honor's ruling last night as  
20 are the next two reports coming up.

21 These were not given to us in his expert materials.  
22 The government didn't supplement. We did not have a chance to  
23 examine. It's covered by Your Honor's ruling last evening, I  
24 believe.

25 MS. EUBANKS: I don't believe that's correct.

1           For one thing, apparently Mr. McDermott has forgotten  
2   that the very statement that we filed alongside of the expert  
3   report when we filed those over two years ago included a  
4   statement as to this witness and other experts who were  
5   continuing research in their field, continuing to keep abreast  
6   of materials.

7           Now, his deposition was taken and he revealed  
8   information about what he was doing at the time. So, in terms  
9   of this particular report -- and this is all in the briefing  
10  that was before the court, particularly with respect to  
11  Dr. Samet, whose situation is different from the situation with  
12  Dr. Brandt and the reliance materials that were set forth here.

13           But if I'm missing anything, I'm sure that Mr. Kinner  
14  can inform the court more precisely about any other matters with  
15  respect to this IARC report and this witness's testimony,  
16  because certainly as he's testified, he was involved in the  
17  preparation. And our report that our memo that forwarded the  
18  report with Dr. Samet, as I said, expressly advised defendants  
19  of the fact that these were experts in their field and they  
20  would continue to keep up and keep abreast of matters.

21           And so it's been no secret. This is a document that's  
22  been cited in the government's proposed findings and so forth.  
23  Dr. Samet's name appears all over it. If you look at the  
24  requirements of rule 26, they talk about making sure, and no  
25  particular mechanism for doing so, that the other side is

1        apprised of certain developments. So they certainly had this  
2        opportunity, besides which Dr. Samet has testified and I think  
3        been deposed by defendants over 10 times. This can be no unfair  
4        surprise.

5                THE COURT: What was the last deposition, if you  
6        remember?

7                MS. EUBANKS: It was in this case and it was in May of  
8        2002.

9                MR. McDERMOTT: It was more than two years ago, Your  
10       Honor. These materials weren't available. They've been  
11       supplied to us and we've never had an opportunity to ask  
12       Dr. Samet about him or his opinions or his involvement in them.

13               MR. KINNER: Good morning, Your Honor. My name is  
14       Russell Kinner from the Department of Justice representing the  
15       United States.

16               Your Honor will recall that on a Friday we had a  
17       discussion in the middle of a deposition about items and whether  
18       they were appropriate for supplemental deposition of Dr. Wyant.  
19       And in that proceeding the discussion was concerning things that  
20       defendants were on notice of and whether or not additional  
21       deposition was needed for certain materials.

22               These materials are things that -- yes, they didn't  
23       depose Dr. Samet subsequent to May 2002, but with regard to the  
24       Surgeon General's report of 2004, we notified the defendants  
25       back in November 2001 of his participation in the Surgeon

1 General's report of 2004.

2 And in the summer of 2002, Dr. Samet is also the  
3 adviser to Doctors Wyant and Zeger on the epidemiology of the  
4 health care cost model. And as soon as the IARC findings were  
5 posted on the Web site we provided them with Dr. Wyant --  
6 provided them with Dr. Wyant and Dr. Zeger's report in July of  
7 2002 about four weeks after they were posted on the Web site.

8 Let's see. So there is notice and they are -- they are  
9 cited in the proposed Findings of Fact. And indeed that notice  
10 comes before the close of discovery in September 2002.

11 So in the situation involving Dr. Wyant and Dr. Gruber,  
12 there has been numerous -- numerous depositions subsequent to  
13 the pursuit of, "Oh, we learned this, now we want this."

14 And here they were also put on notice, and there's  
15 simply been no pursuit of whether or not they wanted additional  
16 information with regard to Dr. Samet.

17 I would also point out that -- I'm sorry. There was  
18 another point I was going to make.

19 I'm sure it will come to me.

20 MR. McDERMOTT: May I respond briefly, Your Honor?

21 We are not mind readers and we are not required to be.  
22 The solution was to supplement if the government wanted to  
23 supplement Dr. Samet's reliance materials and opinions. We  
24 didn't get that. They supplemented an awful lot of other  
25 experts as this court is well aware.

1           Of course, we were aware of the report coming out.  
2       What we were not aware was that Dr. Samet was going to be used  
3       as a witness on this report, that it would -- it would inform  
4       his expert opinions, and we did not have the opportunity under  
5       the procedures of this court to go back to Dr. Samet and  
6       question him about it because we did not have supplemental -- we  
7       did not have supplemental notice given to us by the government.

8           THE COURT: There was constant redepositioning -- if  
9       there's such a word, everybody -- and I know that, and that's  
10      because between the two sides, you have probably amassed all of  
11      the experts in the relevant fields, at least in our country and  
12      maybe in a couple of other countries. All of these experts are  
13      deeply involved in ongoing research.

14           That has been one of the problems here, and that's a  
15      problem I certainly never anticipated, but then I didn't  
16      anticipate a lot of things.

17           But, in any event, the parties have dealt with that  
18      issue by periodically taking additional depositions of these  
19      experts who are working day by day on the scientific issues that  
20      we're all grappling with in this case.

21           Certainly Dr. Samet's intensive involvement in the  
22      preparation of this report as well as all his other ongoing  
23      activities were very well known to the defendants. It's no  
24      surprise, and it's certainly not any surprise given his  
25      particular role in the 2004 report that he was going to speak to

1       that issue today.

2               Joint defendants could have asked for a deposition.  If  
3       the government had turned them down, that would have been one  
4       problem.

5               The joint defendants came to me -- I don't know how  
6       many times -- but a certain number of times objecting when the  
7       government did turn them down for additional depositions.  And  
8       again, I cannot say that every time the defendants won those  
9       motions but they won them a lot of times over the government's  
10      objection.  That was not the case with Dr. Samet.

11              MR. McDERMOTT:  Your Honor, I will plead guilty to two  
12      offenses.  One, we did tie up an all full lot of experts and we  
13      certainly did come back to the court from time to time and seek  
14      supplemental --

15              THE COURT:  That was fine.

16              MR. McDERMOTT:  -- but we did so only in response to  
17      supplemental disclosures.  We didn't just go out willy-nilly and  
18      ask to redepose people.

19              It was always in response to a trigger from the  
20      government saying, our opinions are going to change, or here are  
21      additional reliance materials.  This is stuff that our expert is  
22      going to rely on.

23              We got no such notice in the case of Dr. Samet, Your  
24      Honor.

25              MS. EUBANKS:  Your Honor, Dr. Samet's opinions have not

1 changed and that's what the rule refers to.

2 THE COURT: We're talking about, I believe -- based on  
3 what I read in his direct, at least -- a continuation of his  
4 opinions, if anything fortified -- let's use that word -- by  
5 additional research that comes in.

6 Objection is overruled. Let's proceed, everybody,  
7 please.

8 BY MS. EUBANKS:

9 Q. Regarding the conclusion for the IARC report, what is the  
10 significance of that again, Dr. Samet? If you will take us back  
11 to where we were.

12 A. Well, again, the IARC report, the large volume included the  
13 findings of two reviews: one related to involuntary smoking and  
14 one related to active smoking.

15 If I could just comment on the 2002. We did hold a  
16 press conference in 2002, released the results of the reports  
17 and they were posted on the IARC Web site.

18 Q. Could we see the next slide?

19 What's the significance of the findings that are shown  
20 on the screen to your conclusions?

21 A. The report on active smoking covered issues related to the  
22 exposures and doses of various carcinogens and toxins received  
23 by the smoker.

24 This is one of the conclusions from the IARC volume.  
25 Analysis of the ways in which people smoke modern cigarettes



1 shows that actual doses of nicotine, carcinogens and toxins  
2 depend on the intensity and method of smoking and have little  
3 relation to stated tar yields.

4 And there was a further conclusion stating that the tar  
5 and nicotine yields, as currently measured -- this would be in  
6 reference to machine measurement -- are misleading.

7 Q. All right. Could we see the next slide, please?

8 THE COURT: I'd like to go back to that last quote for  
9 a minute.

10 When that quote refers to the current measurement of  
11 tar and nicotine yields being misleading, were you, in fact,  
12 referring to the FTC measurements and being polite by not  
13 mentioning them specifically or were you referring to other  
14 measurements?

15 THE WITNESS: Let me say the -- these reports are  
16 global in their perspective.

17 THE COURT: Yes, I understand.

18 THE WITNESS: So they would refer to various protocols  
19 for machine measurement of yield.

20 So the FTC method is one. There are other variants, if  
21 you will, of that method, but this is not -- this is referring  
22 to machine measurement, in general, I would stay interpreting it  
23 for you.

24 BY MS. EUBANKS:

25 Q. With regard to your opinions here, what is the significance

1 of the information found at page 1187 of the IARC report?

2 A. Well, the IARC report is perhaps so thick because there was  
3 so much evidence available and up through 2002 on the risks of  
4 active and passive smoking.

5 This is a summary of the evidence on smoking as a cause  
6 of various kind of cancers, and I think what is important in  
7 this list is that as late as 2002, roughly 50 years into the  
8 study of smoking and cancer, we're finding new sites that were  
9 causally linked to cancer, to smoking.

10 Some of those, for example, the uterine cervix, the  
11 bone marrow, leukemia, cancer of the liver, were new additions  
12 to the list of cancers caused by smoking.

13 Q. Now, in terms of IARC's findings regarding passive smoking,  
14 can you tell us why were IARC's conclusions significant given  
15 the fact that in 1992 the EPA had issued its risk assessment?

16 A. Well, of course, I think there's a difference in the scope  
17 and focus of these organizations.

18 IARC, as an entity of WHO, reviews evidence to present  
19 it to the entire world for public health purposes. So I think  
20 the U.S. EPA, as an agency based in the United States, has a  
21 different focus and emphasis in its reports.

22 Q. Let's move to the next slide, please.

23 Now, we are up to the 2004 Surgeon General's report.  
24 What was your involvement in that report?

25 A. I was the senior scientific editor for this report, which

1       was released earlier this year.

2       Q.   How long did it take to compile the 2004 Surgeon General's  
3       report?

4       A.   Approximately three years of work were involved in producing  
5       the report.

6       Q.   See the next slide.  There's a conclusion that's shown on  
7       the screen.

8               What can you tell us about the significance of that to  
9       your opinions?

10      A.   This is one of the many conclusions in the report.  This  
11      again addresses the question of machine measurement of yields of  
12      tar and nicotine.

13             The conclusion reads:  Smoking cigarettes with lower  
14      machine-measured yields of tar and nicotine provides no clear  
15      benefit to health.

16      Q.   All right.  Can we see the next slide?  There's another  
17      conclusion.

18             Can you explain the significance of that statement on  
19      the screen, please?

20      A.   This report, of course, was an updating of prior Surgeon  
21      General's report on the full scope of evidence on active smoking  
22      and disease.

23             Like the IARC report, a number of cancers were added to  
24      the list of those cancers caused by smoking; for example,  
25      cervical cancer and leukemia.  Other additions were cataract and

1 dental disease.

2 I think it's also important to note that the report  
3 concluded that smoking greatly diminishes the health of smokers.  
4 That is, smokers compared to nonsmokers who are simply not as  
5 healthy in general.

6 Q. Could we go to the next slide for 2004, please? The British  
7 doctors' study, can you --

8 THE COURT: Again, I need to go back to the last slide  
9 for a minute.

10 So if I read that correctly, the 2004 Surgeon General's  
11 report is saying that now amongst the types of cancer that had  
12 not previously been causally associated with smoking were -- and  
13 I should say the forms of illness or disease, perhaps is a more  
14 accurate way to say it -- the forms of disease that had not  
15 previously been causally associated with smoking were cataracts  
16 and gum disease; is that correct?

17 THE WITNESS: Yes, Your Honor. These -- the list  
18 includes a number of diseases, cancers and other diseases. So,  
19 for example, cataract which had been looked at --

20 THE COURT: I saw that in your direct.

21 THE WITNESS: -- 15 years, that's correct.

22 THE COURT: And I certainly had never -- although I am  
23 hardly an expert, but I had not ever read that before. But that  
24 is the conclusion in the 2004 Surgeon General's report?

25 THE WITNESS: That's correct.

1 THE COURT: And gum disease as well?

2 THE WITNESS: That's correct.

3 THE COURT: Okay.

4 BY MS. EUBANKS:

5 Q. Can you describe the British doctors' study and its  
6 significance? I note it was also in 2004.

7 A. Well, this is the 50-year report from the study that Richard  
8 Doll began in 1951. And I think it's significant because, as  
9 this study and others continued, they provided the evidence base  
10 that we used in the 2004 report, for example, to better define  
11 what the risks of smoking are.

12 Q. All right. Why don't we go forward.

13 Now, the last two charts that we're going to look at,  
14 the first one that we have up summarizes what?

15 A. This is a summary of the various diseases and other adverse  
16 health effects caused by active smoking as listed in the Surgeon  
17 General's report and the report of WHO, IARC.

18 Q. And if we turn to the final slide, can you tell us what that  
19 shows?

20 A. This is a similar summary for the adverse effects, diseases  
21 and other adverse effects. For example, the effects on  
22 respiratory health of children of second-hand smoke exposure.

23 Q. All right. Dr. Samet, when would you say that the evidence  
24 made clear that there was a causal conclusion that second-hand  
25 smoke caused disease?

1       A. Well, I think the first conclusions were voiced in 1986 in  
2       the three reports that I mentioned on smoking, passive smoking  
3       in lung cancer.

4       Q. Let me ask the question again and see if I can get a direct  
5       response to the question that I asked.

6               I understand that that there are reports out there, but  
7       what I'd like to you tell the court is: When would you say that  
8       evidence made clear that there was a causal connection between  
9       second-hand smoke and disease?

10      A. 1986.

11      Q. Thank you.

12             MS. EUBANKS: Your Honor, I have no further questions  
13      at this time.

14             THE COURT: It's a little earlier than usually.  
15      Mr. McDermott, are you ready to start cross or do you want a  
16      short break now?

17             MR. McDERMOTT: The court's pleasure. Either way.

18             THE COURT: Let's begin the cross then.

19                             CROSS-EXAMINATION

20      BY MR. McDERMOTT:

21      Q. Good morning, Dr. Samet. I'm Bob McDermott.

22             I want to begin by asking you about the subject of  
23      environmental tobacco smoke. I tend to use the shorthand ETS, I  
24      know you use passive smoking. I trust we will speak a common  
25      language, and if there's any confusion, just let me know.

1           You indicated in your direct testimony a few minutes  
2   ago that the first studies, epidemiological studies linking ETS  
3   to lung cancer came out in 1981; correct?

4   A.   I said that, and that's correct, except for a very minor  
5   earlier report from Hong Kong.

6   Q.   Would it be fair -- well, let me ask you.

7           You also mentioned a brief passage in the '72 Surgeon  
8   General's report where he talked about sidestream smoke,  
9   correct, during your slide show?

10   A.   I mentioned a section --

11           MS. EUBANKS:  Objection, Your Honor, to the  
12   characterization that what we just showed, what we just  
13   demonstrated was any kind of show.

14           THE COURT:  No.  Objection is overruled.

15           Go ahead, please.

16   BY MR. McDERMOTT:

17   Q.   Do you have the question in mind, Doctor?

18   A.   Well, I referred to a section of the 1972 Surgeon General  
19   reported from which a passage had been extracted.

20   Q.   And that referred to sidestream smoke; correct?

21   A.   That is correct.

22   Q.   Sidestream smoke is not the same thing as environmental  
23   tobacco smoke, is it?

24   A.   No.  Sidestream smoke is one of the principal sources of  
25   second-hand smoke.

1 Q. And it gets greatly diluted and mixed with the air and  
2 exhaled main stream smoke and is present in far, far --  
3 sidestream smoke --

4 THE COURT: I need to interrupt you, Mr. McDermott, for  
5 some basic clear definitions all given at the same time. And I  
6 know these are all related terms, but I need to be very clear on  
7 the differences between them.

8 Sidestream smoke, mainstream smoke, second-hand smoke,  
9 and environmental smoke. To the extent there is a difference  
10 between those terms, and I know there is between some of them,  
11 would you please spell that out clearly?

12 THE WITNESS: If I can just talk then, Your Honor.

13 The sidestream smoke refers to the smoke given off by  
14 the cigarette while it smolders, so that is a smoke that comes  
15 off the end of the cigarette.

16 THE COURT: It has not been inhaled by the person  
17 smoking?

18 THE WITNESS: Correct.

19 So mainstream smoke is the smoke directly inhaled by  
20 the smoker through the cigarette as they puff on the cigarette.

21 THE COURT: And would it be fair to say that's the  
22 smoke that is exhaled after having been smoked?

23 THE WITNESS: So a substantial portion of the smoke,  
24 mainstream smoke, is retained in the lung, but of course some is  
25 exhaled back into the room.



1 THE COURT: Okay.

2 THE WITNESS: And then if I can say the last piece of  
3 the story, then, is that the combination of the sidestream  
4 smoke, the diluted sidestream smoke, with the exhaled mainstream  
5 smoke is what Mr. McDermott has called ETS, or environmental  
6 tobacco smoke, and I've been using the term second-hand smoke,  
7 and second-hand smoke and ETS might be viewed as equivalent.

8 And just one last piece of semantics. When I talk  
9 about passive smoking or involuntary smoking, I'm referring to  
10 the breathing in of this second-hand smoke or ETS by the  
11 nonsmoker.

12 MR. McDERMOTT: May I proceed?

13 THE COURT: Yes.

14 BY MR. McDERMOTT:

15 Q. So we are agreed, Dr. Samet, that nonsmokers don't walk over  
16 to cigarette ashtrays, bend over and breathe in sidestream smoke  
17 directly as an ordinary course of events; correct?

18 A. Well, in the ordinary course of events I would not expect  
19 that they will do that, no.

20 Q. The sidestream smoke becomes greatly diluted, and so ETS is  
21 much more diluted than sidestream smoke; correct?

22 A. Well, ETS becomes diluted and the extent of dilution depends  
23 on the circumstances.

24 Q. All right. Notwithstanding the Surgeon General's reference  
25 in 1972, would you agree with me that it would be an accurate

1 statement of the state of the science in 1979 that healthy  
2 nonsmokers exposed to cigarette smoke have little or no  
3 physiologic response to smoke and what response does occur may  
4 be due to psychological factors?

5 A. I'm sorry. Can you repeat the question?

6 Q. Certainly.

7 Would you agree with me that it is an accurate  
8 statement of the state of the science in 1979 that healthy  
9 nonsmokers exposed to cigarette smoke have little or no  
10 physiologic response to the smoke and what response does occur  
11 may be due to psychological factors?

12 A. Well, I would say that by 1979 there had probably been very  
13 limited exposure studies of healthy nonsmokers to second-hand  
14 smoke. There were certainly studies carried out around the  
15 time. I can't demarcate the literature in 1979 versus earlier,  
16 looking at responses, for example, of the eyes and upper airway  
17 and irritation responses.

18 Q. So, to answer my question, is it a fair statement of the  
19 science or not, or you don't know?

20 A. Certainly by 1979 there were studies on adverse respiratory  
21 effects of second-hand smoke on children showing increased risks  
22 for lower respiratory illness and respiratory symptoms.

23 Q. Doctor, if you would answer my question. Is what I just  
24 read to you a fair statement of the state of the science in 1979  
25 or not?

1 A. Why don't you repeat the question, please?

2 Q. Healthy nonsmokers exposed to cigarette smoke have little or  
3 no physiologic response to the smoke and what response does  
4 occur may be due to psychological factors?

5 A. I think the answer to that really hinges on what is meant by  
6 physiologic responses. Clearly, by 1979 there were studies  
7 showing adverse effects on the health of children.

8 Q. So you do not agree with that statement?

9 A. Not if I consider the data on children. I've not -- that  
10 statement may have to do with what is meant by physiological  
11 responses, but there was clear evidence of adverse effects on  
12 children by 1979.

13 Q. So you disagree with the Surgeon General's characterization  
14 of the statement of science?

15 A. Again, I would have to see what was meant by physiological  
16 response. I suspect this refers to acute exposures of  
17 individuals to second-hand smoke.

18 I don't know whether, in making this statement, the  
19 Surgeon General had considered the evidence on children which  
20 had been reported at that time. So I can't interpret this  
21 without a better context.

22 Q. Doctor, I thought you were familiar with the Surgeon  
23 General's reports. That was part of the expertise you offered  
24 in this case.

25 A. Well, I would certainly like to see the report from which

1       this has been extracted and the context in which this is made.

2       Q.   We will show it to you right now.

3               MR. McDERMOTT:  For the record, I've handed the witness  
4       a copy of Joint Exhibit 064071.

5       Q.   And the page reference, Doctor, is on 11-28.  It's the 1979  
6       Surgeon General's report.  Have you had a chance to review the  
7       report?

8       A.   Well, I've looked at these several pages.  I would note that  
9       in fact, I think my recall was correct and they did comment on  
10      the information on irritation, which I would certainly regard as  
11      a physiological response.

12             I would like to see perhaps the antecedent pages that  
13      describe these studies' physiological response.  Again, I  
14      suspect that these probably in reference to acute exposure  
15      studies and whether --

16             THE COURT:  Excuse me, Dr. Samet.  If you would slow do  
17      you know a little bit.

18             THE WITNESS:  I'll try.

19             THE COURT:  I would like you to repeat what you just  
20      said.

21      A.   Well, again, if -- well, the passage has disappeared now.

22             But my comment earlier was that in fact the -- in  
23      summary, comments on the literature that I noted describing  
24      irritation and annoyance, which I would certainly count as a  
25      physiological response, the comment about healthy nonsmokers

1 exposed to cigarette smoke I suspect is in reference to human  
2 exposure studies and whether there were changes, for example, in  
3 things such as respiratory rate or heart rate.

4 Q. Do you consider irritation a health -- significant health  
5 risk?

6 A. I would consider it a physiological response.

7 Q. Do you consider annoyance a significant health risk?

8 A. Again, I would consider it to be an adverse consequence of  
9 exposure to smoking.

10 Q. But not a threat to public health, Doctor? Isn't that  
11 correct?

12 A. Well, it's a threat to well-being, certainly.

13 Q. Dr. Samet, you spoke of the two 1981 epidemiological studies  
14 by Hirayama and Trichopoulos?

15 A. Yes, I did.

16 Q. These were not the only epidemiological studies relating to  
17 ETS that were published in the early '80s; isn't that correct?

18 A. Other studies were subsequently published.

19 Q. In fact, you reviewed some of those studies in the 1984  
20 article which you authored, didn't you, Doctor?

21 A. I did.

22 Q. And your research was supported by the National Cancer  
23 Institute?

24 A. My work at the time was supported by the National Cancer  
25 Institute.

1 Q. Let's see Joint Defense 067821.

2 THE COURT: 0626781?

3 MR. McDERMOTT: 067821.

4 BY MR. McDERMOTT:

5 Q. For the record, that's an article that you wrote entitled:  
6 Relationship between passive exposure to cigarette smoke and  
7 cancer.

8 Can you give the doctor a copy?

9 You're familiar with that article, obviously,  
10 Dr. Samet?

11 A. Yes, I am.

12 Q. All right. Let's turn to Table 1, please. Table 1.

13 In this table, Doctor, you list three studies that  
14 purport to show significant effects of passive smoking and lung  
15 cancer rates; correct?

16 A. Give me a moment to look again.

17 Q. Certainly.

18 A. (Pause) Yes, that's correct.

19 Q. And then you have a Table 2 in the article; correct?

20 A. There is a Table 2.

21 Q. And you list there five studies that do not show a  
22 statistically-increased elevated risks of lung cancer among ETS  
23 exposed spouses; is that right?

24 A. They show varying levels of effect and they were a group  
25 because they were not statistically significant.

1 Q. Correct. And you said at the time in your article that  
2 there was a paucity of data that was directly relevant to the  
3 hypothesis that passive smoking is a risk for lung cancer,  
4 didn't you?

5 A. At that point the evidence was just unfolding.

6 Q. And you commented on the paucity of data; correct?

7 A. Well, if I could find -- may have used that word. I would  
8 need to see where --

9 Q. Can you show us 236? Under Conclusions, in summary.

10 Second sentence. The paucity of data contrasts sharply  
11 with the literature crated in the 1964 Surgeon General's report  
12 which characterized active smoking as a cause of lung cancer.

13 You wrote that in 1984, Dr. Samet?

14 A. That's right. I commented on the nine studies and made the  
15 contrast.

16 Q. And you comment on all the studies that are extant at the  
17 time; correct?

18 A. To my knowledge.

19 Q. And your scientific judgment, this was -- this reflects your  
20 scientific judgment in 1984, correct, this article?

21 A. Yes.

22 Q. In fact, Doctor, at the second sentence of your conclusions,  
23 if we can go on, you report that this paucity of data highlights  
24 the weaknesses of the data for passive smoking; correct?

25 A. Well, in this article I commented on the methodological

1 issues involved in studying passive smoking in lung cancer.

2 Q. And you noted particularly the weaknesses of the data;  
3 correct?

4 A. I commented on, again, the methodological issues involved  
5 and the challenges.

6 Q. And finally, Doctor, you commented then, page 237, first  
7 sentence of the first full paragraph.

8 The association between passive smoking and lung cancer  
9 did not yet meet the criteria applied to active smoking in the  
10 1964 Surgeon General's report. Correct?

11 A. This is a comment that I made, correct.

12 Q. Doctor, I prepared a chart -- I basically transposed some of  
13 the information you have so we can look at it more clearly.

14 Let me see JDM 060285.

15 And what this does, Dr. Samet, is to basically extract  
16 from your article the studies that you reviewed and put them in  
17 a form which is a little bit easier, for me, at least, to deal  
18 with.

19 Would you agree with me that this accurately sets forth  
20 the information in your article?

21 A. I can't agree with that without looking at the table myself.

22 Q. Please take your time.

23 A. Well, this -- I'm afraid this is not an easy translation  
24 because, for example, what you have for Trichopoulos, 2.113, is  
25 not what I have in my Table 1 where I show the dose response.



1           What you have for Hirayama is not what I have in my  
2     Table 1. So I'm reluctant to -- so I don't know where this  
3     table came from. It's certainly not Table 1 from my article.

4     Q. Doctor, let me show you -- excuse me -- let me show you U.S.  
5     Exhibit 63708. This is taken from the table -- the table is  
6     taken from the NRC report which you rely on in your expert  
7     materials, the numbers.

8           Can we get -- can we zoom in on the top seven studies,  
9     please? That's not very....

10           THE COURT: Did you transpose the columns from this  
11     table, which is either 12 or 13-4, to the exhibit you just made  
12     up and showed Dr. Samet?

13           MR. McDERMOTT: That's correct, Your Honor, and this is  
14     from information in Dr. Samet's reliance material. This was the  
15     cleanest and clearest exposition of what those numbers were.

16           And it might help the court if I could ask Dr. Samet if  
17     we can go back to my exhibit to explain what those columns are.  
18     It might help the court.

19     BY MR. McDERMOTT:

20     Q. Dr. Samet, can you tell us what "overall relative risk"  
21     means?

22     A. I'm a little reluctant to since I did not prepare this. I  
23     will speak to it in general, but I have no idea where this came  
24     from at the moment.

25     Q. I understand. Speak generally.

1 A. In general, I would assume that relative risk refers, of  
2 course, to the comparison of risk and expose. I assume in this  
3 case marriage to a smoker compared to the comparison, which  
4 would be marriage to a nonsmoker. But since I didn't develop  
5 this table, I don't know what you mean by overall.

6 Q. And how about confidence interval? What does that mean, in  
7 general?

8 A. A confidence interval, in general, describes sort of the  
9 width around this relative risk where we think the truth lies,  
10 and typically we use 95 percent confidence intervals to describe  
11 that window.

12 So if the number is 0.90 to 1.54, that means that we  
13 think with 95 percent certainty essentially that the true  
14 increase in risk lies within that bound.

15 Q. And, Doctor, isn't it true that when the confidence interval  
16 goes below 1, that you cannot say that you have statistically  
17 significant results?

18 A. Well, at the stated level of confidence and the confidence  
19 interval, you can say that.

20 Q. Using the 95 percent confidence interval, when the  
21 confidence interval dips below 1, the lower end, that is not  
22 considered statistically significant; correct?

23 A. If the criterion for statistical significance is P less than  
24 point 05, and the lower confidence interval goes below 1, then  
25 the finding is not significant at P less than point 05.

1 Q. So the answer to my question is, yes, using a 95 percent  
2 confidence interval when the lower bound is below 1 you cannot  
3 say the study is statistically significant; correct?

4 MS. EUBANKS: Objection, Your Honor. The witness has  
5 answered it.

6 THE COURT: The objection is overruled. The doctor can  
7 answer.

8 A. Well, I did answer the question. I think I just made a  
9 clearer answer, which was not statistically significant at P  
10 less than point 05 because you said 95 percent confidence  
11 interval.

12 Q. So the P point 05 is the same thing as the 95 percent  
13 confidence interval? Again I want the record to be clear.

14 A. They are not the same thing. I mean, you've offered me a  
15 comment about interpretation of the confidence interval, but a P  
16 value and a confidence interval are different.

17 Q. I understand that. But a P value of point 05 means you are  
18 utilizing confidence interval of 95 percent, correct, which is  
19 typical for epidemiological studies?

20 A. Again, your comment about interpretation of the P value and  
21 the confidence interval are correct. They are different  
22 computationally and they may be used for different purposes.

23 Q. Doctor, I'm going to ask you to assume, and we will close up  
24 the record by the time we come back after lunch and establish  
25 it.

1           I want you to assume that that is an accurate  
2       transposition of numbers that come out of a document that you  
3       rely on, which is U.S. 63708.

4           MS. EUBANKS:  Objection, Your Honor, as to an  
5       assumption.  We are here to get the facts and the evidence.  To  
6       ask the witness --

7           THE COURT:  Well, it's subject to being tied up.  And  
8       for the moment I'm going to operate on the assumption that  
9       counsel would not be so foolish as to give me an assumption and  
10      a transposition of statistics that was inaccurate.

11          MR. McDERMOTT:  I can sure you, Your Honor, that if  
12      it's inaccurate, heads will roll, including mine.  It is not  
13      intentional.

14          THE COURT:  Okay.

15      BY MR. McDERMOTT:

16      Q.  I'm going to ask you to assume, Doctor, that this is an  
17      accurate transposition of the numbers.

18           In that case, the Garfinkel study does not show  
19      statistically-significant results; correct?

20      A.  I'm sorry.  Can I just have a clarification?

21           This is data extracted from the 1986 National Research  
22      Council report showing studies through 1984?

23      Q.  Correct.

24      A.  Okay.

25      Q.  The Garfinkel study does not show statistically-significant

1 results; correct?

2 A. At P less than point 05, correct.

3 Q. The same is true with the Chan study in 1982?

4 A. Correct.

5 Q. The same is true with the Corea study in 1983?

6 A. Correct.

7 Q. The same is true with the Kabat study in 1984?

8 A. Correct.

9 Q. The same is same true of the Gillis study in 1984?

10 A. Correct.

11 Q. The same is true of the Koo study in 1984?

12 A. Correct, as presented.

13 Q. You didn't mention any of these studies in your direct  
14 examination, did you, Doctor?

15 You didn't refer to these studies when you were  
16 discussing environmental tobacco smoke?

17 A. I made no attempt to refer to every extant study. They are  
18 summarized in the reports that I cited.

19 Q. Doctor, let me show you a final extract from your paper.  
20 Can we have 68 -- excuse me -- 67821 again please, page 237.

21 In 1984, it was your view that -- this is a first full  
22 paragraph.

23 In conclusion, the association between passive smoking  
24 and lung cancer does not yet meet the criteria applied to active  
25 smoking in the 1964 Surgeon General's report. While

1 confirmation of passive smoking as a risk factor for lung  
2 cancer --

3 THE COURT: Not too fast, Mr. McDermott, please.

4 MR. McDERMOTT: Yes, Your Honor.

5 Q. While confirmation of passive smoking as a risk factor for  
6 lung cancer would offer new ammunition against tobacco, the  
7 available evidence does not permit definitive judgments.

8 That's what you said in 1984; correct?

9 A. That's correct.

10 Q. That was an accurate statement of the state of the science  
11 in 1984; correct?

12 A. Well, this is my view of where the evidence stood in 1984.

13 Q. All right. You also go on to note. In the face of  
14 difficult methodological problems, particularly that of  
15 accurately quantifying dose, unimpeachable data will be  
16 difficult to obtain. Correct?

17 A. That is read again as written.

18 Q. Let's talk about some of those methodological problems,  
19 Dr. Samet.

20 You note in page, at 228 of this article under Methods,  
21 third sentence, The results of both may be affected by  
22 misclassification of exposure and confounding by other risk  
23 factors.

24 You're commenting there, Doctor, on the problems of  
25 misclassification of exposure confusion of smokers and

1 nonsmokers; correct?

2 A. Well, this is a -- a general comment about methodologic  
3 issues that arise in epidemiological studies.

4 Q. I understand that. And confounding by other risk factors.

5 What are the other risk factors that might confound an  
6 ETS epidemiological study?

7 A. Well, I think to my knowledge then and now there are very  
8 few, perhaps -- because there are few well-documented causes of  
9 lung cancer in never smokers, some occupational exposures, in  
10 particular, although certainly not well looked at at the time  
11 indoor radon might also have been raised as a possible potential  
12 compounder.

13 Q. What about diet, Doctor? Has that been raised as a possible  
14 compounder?

15 A. It's been raised. In my way of thinking, diet has never met  
16 the definition --

17 THE COURT: Just a little slower.

18 A. We've never shown that diet itself is a risk factor for lung  
19 cancer in never smokers.

20 Q. That view isn't shared by all scientists, however, is it,  
21 Doctor?

22 A. It may well be. There's certainly been work on diet and  
23 lung cancer, but it's been certainly generally in reference to  
24 smokers. There's been relatively limited work on lung cancer  
25 and diet in never smokers.

1 Q. You are familiar with Dr. Mataneski, a colleague of yours at  
2 Johns Hopkins?

3 A. She's a faculty member in my department.

4 Q. And you're aware she's published research on dietary  
5 confounding and ETS lung cancer epidemiological studies?

6 A. I'm not aware that Dr. Mataneski has published a single  
7 paper showing that diet is linked to risk for lung cancer in  
8 never smokers.

9 Q. She hasn't published a paper, Doctor, but she's certainly  
10 raised it as an issue that needs study, hasn't she?

11 A. I said I thought that there was little evidence available  
12 and I know Dr. Mataneski's work. She's in my department. And  
13 that paper does not address lung cancer and diet in never  
14 smokers.

15 Q. Let's see what she says. Can I see JD 053942?

16 THE COURT: This is an unpublished, unpeer-reviewed  
17 paper, question mark?

18 MR. McDERMOTT: This is an excerpt from an abstract  
19 called Characteristics of Nonsmoking Women in NHANES 1 and  
20 NHANES 2 Epidemiological Follow-up Study With Exposure to  
21 Spouses Who Smoke published in the American Journal of  
22 Epidemiology in 1995.

23 BY MR. McDERMOTT:

24 Q. And she reports that many of the differences that authors  
25 observed between women who are exposed and not exposed to



1 passive smoking could affect the risk of cancer. Therefore,  
2 they recommend that future studies of nonsmokers examine the  
3 influence of both passive smoking and diet on the risk of  
4 disease rather than examine the influence of a single factor.

5 Correct?

6 A. Well, it says that, but again the paper does not provide any  
7 information directly on diet and risk of lung cancer in never  
8 smokers. As I've said, I'm familiar with this paper.

9 Q. This is a concern, an issue that has been raised by other  
10 scientists, correct, Doctor?

11 A. Well, the issue is raised, but no evidence is supplied  
12 directly relevant to whether diet could confound the passive  
13 smoking lung cancer association.

14 Q. It is scientifically legitimate to question whether or not  
15 diet has a role in the perceived incidence of ETS-related lung  
16 cancer, isn't it, Doctor?

17 A. Well, again, certainly authors are entitled to offer their  
18 speculations, but they offer no direct evidence that diet is  
19 associated with lung cancer risk in never smokers here.

20 Q. Let's talk about measuring exposure, Doctor.

21 THE COURT: I think now, Mr. McDermott, we will take a  
22 break.

23 Doctor, you will step down.

24 We will take 15 minutes, everybody.

25 (Recess began at 11:00 a.m.)

1 (Recess ended at 11:15 a.m.)

2 THE COURT: Mr. McDermott, please.

3 MR. McDERMOTT: Thank you, Your Honor.

4 BY MR. McDERMOTT:

5 Q. Let me backtrack for just one second. I should have asked  
6 and I think the answer is obvious, but we might at well make the  
7 record clear.

8 Your colleague, Dr. Mataneski, presumably you think  
9 she's a competent scientist --

10 A. Yes.

11 Q. -- or she wouldn't be on your staff?

12 A. Yes, I do.

13 Q. I want to talk to you now a little bit about measuring  
14 exposure. That's one of the problems that you identified in  
15 your methodological problems that you identified in your 1984  
16 article; correct?

17 A. Correct.

18 Q. Would it be fair to say that as of 1986, methodological  
19 constraints, particularly accurately quantifying exposure, had  
20 produced conflicting results in the epidemiological literature  
21 regarding passive smoking?

22 A. Well, there may have been many sources of conflicting  
23 results. I mean, methodological issues would be one source of  
24 potentially conflicting results.

25 Q. Let's see what you wrote. Can I have JD 067843?

1           For the record, this is a letter that you wrote with  
2   Dr. Coultas in 1986 published in the Western Journal of  
3   Medicine, the right-hand column, first full sentence.

4           Because of methodologic constraints, particularly in  
5   accurately quantifying exposure, study as a passive smoking and  
6   lung cancer, offer conflicting results. Correct?

7   A. That's correct.

8   Q. And one of the problems, Doctor, is that an awful lot of the  
9   exposure information comes from surveys; correct?

10           Questionnaires as opposed to actual physiologic  
11   measurement.

12   A. Well, questionnaires were used in the epidemiological  
13   studies on passive smoking in lung cancer, that's correct.

14   Q. And this has been basically the exclusive method in the  
15   large epidemiological studies, for quantifying exposure in the  
16   large epidemiological studies linking ETS with lung cancer; is  
17   that correct?

18   A. That's generally correct. Some of the more recent studies  
19   on other health outcomes have used biomarkers, but  
20   questionnaires are the principal source.

21   Q. In your direct testimony you were asked whether or not  
22   epidemiologists had measured exposures to ETS, and you said  
23   researchers have also placed monitors on people so the total  
24   daily exposures, those received in the full range of everyday  
25   living, can be measured.

1           Do you recall that testimony? I'll be happy to show it  
2   to you on the screen if you would like.

3   A. Yes, I do.

4   Q. You didn't mean to imply by that, Dr. Samet, that any  
5   epidemiologists have actually studied populations over a long  
6   period of time with the subjects of the study wearing personal  
7   monitors, did you? That hasn't happened, has it?

8   A. Of course not.

9   Q. And isn't it true, Dr. Samet, that questionnaires don't  
10  accurately quantify exposure?

11  A. Well, it certainly can't answer that question in a -- in a  
12  general sense as you've posed it.

13           Any questionnaire may have issues related to validity  
14  and reliability no matter what the topic is.

15  Q. Can we see JD 067821? I'd like page 231.

16           This is your 1984 article, Doctor, which you have, if  
17  you would like to take a look at it. I would ask you to focus  
18  on the right-hand column, seven lines from the top.

19           Thus, with regard to domestic exposure, simple  
20  descriptions of spouse smoking behavior cannot satisfactorily  
21  define gradients of exposure.

22           That's what you wrote in 1984; correct?

23  A. I noted that and then commented further, that they are  
24  useful to document that exposure has occurred.

25  Q. Like a light switch. It's either on or off, but you can't

1 tell how much light, can you, Doctor? It's not a rheostat?

2 A. I'm not sure about the analogy, but --

3 Q. I'm not sure about it, either. Let me try again.

4 You can tell whether or not there's been exposure, yes  
5 or no, but you can't quantify the amount of exposure; is that  
6 correct?

7 A. Well, I think what the second sentence refers to the -- the  
8 one after the highlight is the fact that, on average, women or  
9 men married -- who don't smoke themselves who are married to  
10 smokers would be presumed to have more exposure than people not  
11 married to smokers.

12 Q. I understand. Let me see JD 678426, please. Page 250.  
13 This is a paper that you co-authored, I believe.

14 I'm sorry. You were a co-author of the study; correct?

15 A. That's correct.

16 Q. For the record, it's called: Variability of Measures of  
17 Exposure to Environmental Tobacco Smoke in the Home, and  
18 published in Combustion Process and the quality of the indoor  
19 air environment 1988. And if you will focus on the last two  
20 lines on page 250.

21 We conclude that, because of the marked variability of  
22 these measures, multiple measurements are needed to establish a  
23 stable profile of exposure to environmental tobacco smoke in a  
24 particular home or environment?

25 THE COURT: In a particular home or individual.

1                   MR. McDERMOTT: Excuse me. Home or individual. Thank  
2                   you, Your Honor.

3                   Q. Furthermore, detailed questions to quantitate exposure offer  
4                   little additional information beyond whether the subject was  
5                   exposed or not?

6                   You wrote that, Doctor?

7                   A. I think this refers to the fact that it was very difficult  
8                   using questions to quantify exposure beyond the fact of  
9                   exposure, correct.

10                  Q. And Hirayama and Trichopoulos in the two 1981  
11                  epidemiological studies you referred to did use questionnaires  
12                  to quantify exposure, did they not?

13                  A. Well, they again used that measure I referred to, was their  
14                  marriage to a smoker or not, which again would qualitatively  
15                  signal greater exposure. They used some information on  
16                  cigarette smoke by their spouse to try and further quantify it,  
17                  and I think this paper speaks to the limitations of that kind of  
18                  information.

19                  Q. And in light of those limitations it would be fair to have  
20                  reservations about the reliability of the dose response  
21                  findings, would it not, Doctor?

22                  A. Well, I think, in general, the main weakness here is that we  
23                  tend to misclassify exposure. In epidemiological studies when  
24                  that happens, it usually detracts from our ability to find a  
25                  dose response. Air, if you will, introduces additional noise

1 and it's harder to find the signal.

2 Q. Let me move on to the 1986 Surgeon General's report which  
3 you mentioned in your direct testimony. It's JE 63709. And let  
4 me refer to page 71, Table 9.

5 It's a little bit hard to see on the screen, but let me  
6 ask you based on your study, Doctor. Do you recognize that the  
7 eight epidemiological studies, which you reviewed in your 1984  
8 article, are also noted in the Surgeon General's report?

9 A. Well, I won't take the time to make a detailed comparison,  
10 but I will assume they are here.

11 Q. I'm not trying to mislead you.

12 In addition, there were five newer studies that weren't  
13 available at the time you wrote your article and I'll just list  
14 them for you. They are in Table 9.

15 Wu in 1985, Garfinkel in 1985, Lee in 1985. I may not  
16 pronounce this correct. Au-KAE-ba in 1986. A-k-i-b-a.  
17 Pershagen in 1986.

18 Does that sound right, Dr. Samet?

19 A. It sounds right, correct.

20 Q. To simplify the comparison, I'm going to go back to an  
21 expansion of the chart I showed you earlier.

22 Can you display J-DEM 060286?

23 What I've done is just, using the same format I showed  
24 you earlier -- and we will get to prove at lunch, Your Honor --  
25 simply transposed the results of those five additional studies

1       considered by the Surgeon General in 1986 into the same format  
2       that I showed you earlier.

3               And it is correct, Dr. Samet, that the Wu study did not  
4       show statistically-significant elevated risk, isn't that so?

5               MS. EUBANKS: Your Honor, I just want to make clear  
6       what this is based on because it's a number of assumptions that  
7       are here.

8               THE COURT: You have to speak up.

9               MS. EUBANKS: There are a number of assumptions that  
10       are raised here in the question, and there was a reference to  
11       something over the lunch break.

12              I just want it to be clear what the witness's testimony  
13       is about this that he's viewing and which assumption it is he's  
14       adopting in his answer.

15              THE COURT: All right.

16       BY MR. McDERMOTT:

17       Q. If you look at the legend on the bottom, Doctor -- and I see  
18       I've got a pointer here. I'll try to read it to you.

19              The relative risks in confidence intervals for all  
20       studies, other than Wu, as summarized in the National Research  
21       Council environmental tobacco smoke measuring exposure and  
22       assessing health effects from Table 12-4 at page 232, that's  
23       something on your reliance materials, isn't it?

24       A. That report is correct.

25       Q. And the relative risk and confidence intervals for Wu in



1 1985 are summarized in the health consequences of involuntary  
2 smoking at page 83. That's the Surgeon General's report. And  
3 the reference there is JE 063709. So that's the source of the  
4 data.

5 Do you understand that?

6 A. I understand that.

7 Q. Now, with that explanation, is it not the case that the Wu  
8 study did not find statistically-significant elevated risks?

9 A. As presented here, yes.

10 Q. And the Garfinkel 1985 study also did not find  
11 statistically-significant results; correct?

12 A. The same answer.

13 Q. And same for Lee 1985, not statistical elevated risks?

14 A. That's.

15 Q. And for Akiba 1986, there is no statistically-significant  
16 elevated risk; correct?

17 A. Akiba, yes.

18 Q. And for Pershagen, P-e-r-s-h-a-g-e-n, 1986; again, there is  
19 no statistically-significant elevated risk; correct?

20 A. Pershagen. And, yes, I would just note that they are all  
21 above 1, indicating some degree of increase but not  
22 statistically significant.

23 Q. But the five additional studies that were available for the  
24 Surgeon General's review in 1986, of those five, not a single  
25 one showed statistically-significant elevated risks; correct?

1       A. I would actually note that the reliance document, the  
2       National Research Council report summarized those results, and  
3       overall when the evidence was put together there was a  
4       significantly increased risk at that time in 1986.

5               MR. BERNICK: Your Honor --

6               MR. McDERMOTT: Move to strike as nonresponsive.

7               MR. BERNICK: Mr. McDermott is not aware --

8               THE COURT: Well, it may have been nonresponsive to the  
9       question you asked, but it certainly was a significant answer in  
10      terms of the court understanding things. But I want to follow  
11      up for a minute.

12              Dr. Samet, you are indicating that as presented on this  
13      particular slide no one of those five more recent studies was  
14      statistically significant individually; is that correct?

15              THE WITNESS: That's correct. As presented, that is  
16      correct.

17              THE COURT: But then were you saying when a further  
18      study was done that considered all five together, the conclusion  
19      was that they were statistically significant?

20              THE WITNESS: No. Actually, in the document the  
21      National Research Council report they put all the studies  
22      together that had been published. That would include these five  
23      and the earlier studies.

24              And when that was done, pulling all of the evidence  
25      together there was a statistically significant increased risk of

1 approximately 34 percent if I recall correctly.

2 THE COURT: All right. Go ahead, Mr. McDermott.

3 I will, by the way, treat that as a redirect question  
4 and answer from the government.

5 Go ahead.

6 BY MR. McDERMOTT:

7 Q. Dr. Samet, in 1984 you said the association between passive  
8 smoking and lung cancer did not yet meet the criteria applied to  
9 active smoking in the 1964 Surgeon General's report; correct?

10 A. That's correct.

11 Q. The addition of five statistically-insignificant results  
12 doesn't offer that picture, does it, Dr. Samet?

13 A. Well, the '86 Surgeon General's report was based on far more  
14 than five additional studies; it was a comprehensive review of  
15 all of the evidence, which is what is appropriate for use of the  
16 criteria for causality.

17 So I think that the report in its other chapters goes  
18 over the other relevant lines of evidence.

19 Q. But the human data is as I've laid it out there; correct,  
20 Doctor?

21 A. I am making the assumption that it's so, that as laid out.  
22 And again the new five additional studies did all indicate  
23 increased risk, not statistically significant, and --

24 Q. Thank you. Let me turn to the 1992 EPA risk assessment.  
25 You testified briefly about that in your direct.

1           The EPA risk assessment used a meta-analysis, did it  
2     not?

3     A.   The EPA risk assessment included a meta-analysis, correct.

4     Q.   And it examined approximately 31 spousal studies to find an  
5     overall relative risk of approximately 1.19; is that correct?

6     A.   That, I think, is correct, by my recollection.

7     Q.   And I don't want to jog your memory, but is it also  
8     approximately correct -- or is it consistent with your memory  
9     that the confidence interval was about 1.04 to 1.35?

10    A.   My memory does not include the confidence interval.

11    Q.   Okay. Let's see if we can throw up -- let me see what's...  
12    excuse me, Your Honor.

13           Doctor, for my benefit, perhaps for the court's but  
14    certainly for mine, why don't you explain very briefly what a  
15    meta-analysis is?

16    A.   Well, again, without getting too technical, a meta-analysis  
17    involves a review of all the evidence, and in a quantitative  
18    meta-analysis the findings the individual studies are put  
19    together statistically, if you will, and sort of taking account  
20    of how variable each one is so that the evidence can be joined  
21    together to see what the overall signal is when the individual  
22    studies are combined.

23    Q.   So the meta-analysis that you referred to earlier that the  
24    NRC did in 1986, it did not involve new studies, it did not  
25    involve original research with new populations; correct? It was

1 just massaging the numbers with the existing reports?

2 A. Well, the '86 NRC was essentially the same as what the EPA  
3 did. It took all the evidence up to that time and pooled it.  
4 And then of course by 1990-91 when the EPA was doing its work,  
5 there were more studies.

6 Q. You've done some meta-analyses and presented some  
7 meta-analyses in your direct testimony, have you not, Dr. Samet?

8 A. I have relied on published meta-analyses.

9 Q. You presented them in your direct testimony?

10 A. That's correct.

11 Q. The meta-analyses that you presented using 95 percent  
12 confidence interval; correct?

13 A. That's correct in general, yes.

14 Q. In 1992 the EPA used a 90 percent confidence interval; isn't  
15 that correct, Dr. Samet?

16 A. That's correct.

17 THE COURT: What's the significance, if you can  
18 describe it, between a 90 percent confidence level and a  
19 95 percent?

20 I understand, of course, that it's a 5 percent  
21 difference, but is there any other meaningful way you can  
22 explain that difference? That's one question.

23 And my second question is, is a 90 percent confidence  
24 level generally accepted as adequate in the scientific world?

25 THE WITNESS: Complicated questions.

1           The 95 percent confidence interval describes sort of  
2   the area of coverage with 90 percent -- 95 percent confidence.

3           The 90 percent describes it with 90 percent confidence.  
4   So it, if you will, it narrows a bit, and analogous to  
5   Mr. McDermott's discussion of the P point 05, it's now more  
6   analogous to P point 10.

7           In using 95 percent or 90 percent, there's in a way a  
8   prior decision. The 90 percent decision essentially was made  
9   because at the time EPA believed that it was appropriate because  
10   they would not consider the possibility that passive smoking  
11   reduced the risk of lung cancer, so they used the 90 percent  
12   confidence intervals.

13          I know that this was discussed extensively at the time  
14   because it was a bit of a departure from the usual use of  
15   95 percent confidence intervals, and it was -- has since been a  
16   matter of debate.

17          But essentially by doing the 90 percent confidence  
18   interval there's, if you will, a higher chance that results will  
19   be statistically significant because there's been a change from  
20   PO 5 to P point 1.

21          And then there's also the implicit assumption that at  
22   that point there's a very strong prior belief that passive  
23   smoking could not protect against lung cancer. The 95 percent,  
24   if you will, is evenhanded. It says passive smoking could cause  
25   lung cancer, passive smoking could prevent.

1           By convention, we usually stay with 95 percent, EPA  
2       went to 90.

3       BY MR. McDERMOTT:

4       Q.   So just to make sure that I understand your answer, Doctor.

5           The 90 percent confidence interval was a departure,  
6       correct, from the ordinary practice followed in the scientific  
7       and regulatory community?

8       A.   I can't comment on the regulatory community.

9           The scientific community generally used 95 percent  
10      confidence intervals, but EPA offered its rationale for the use  
11      of the 90 percent.

12      Q.   I understand. You also said, I think, they assumed that the  
13      direction would be toward risk or harm; correct?

14      A.   If you will --

15      Q.   Assuming that that was likely to be the answer?

16      A.   Essentially this is equivalent to what we would call one  
17      tail, if you will, significant testing.

18           We're allowing consideration of hypotheses only in the  
19      direction that passive smoking causes lung cancer. Certainly a  
20      reasonable assumption in 1990.

21      Q.   Isn't it true, Dr. Samet, that at the time the EPA made this  
22      assumption there were numerous studies that did show negative  
23      risks, negative correlations between exposure to environmental  
24      tobacco smoke and lung cancer?

25      A.   There may have been some studies with point estimates below

1 1, but certainly on a biological basis no one would ever  
2 consider the possibility that inhaling carcinogens and  
3 second-hand smoke protects against the development of lung  
4 cancer.

5 Q. But the answer to my question is there were studies showing  
6 a negative association that were in the scientific record at the  
7 time the EPA made this decision; correct?

8 A. I think we've showed these studies, and again their  
9 confidence levels, of course, all went above 1.

10 THE COURT: Does the use, or did the use of the  
11 90 percent confidence level inevitably skew the final results  
12 that EPA would come up with?

13 THE WITNESS: What is -- it would not have changed what  
14 we call the point estimates. So when the evidence is all  
15 summarized and they came up with that, I guess 1.19. It would  
16 not change the 1.19 at all. What it would change is the bound  
17 of uncertainty around that 1.19, making it narrower than with 90  
18 percent, it would have been with 95 percent.

19 BY MR. McDERMOTT:

20 Q. Doctor, meta-analysis is a technique for summarizing  
21 disparate studies is controversial, isn't it?

22 A. I'm not so sure that -- I would certainly say under  
23 discussion, but certainly widely used throughout by medical  
24 research to summarize evidence and increasingly so.

25 Q. Let me show you Joint Defense Exhibit 067847. This is an



1 article authored by you entitled: Epidemiology and policy, the  
2 Pump Handle Meets the New Millennium published in the  
3 Epidemiologic Review, volume 22, at page 45, year 2000.

4 Let's focus on page 147 at the end of the first column.  
5 However -- down to the last sentence of the paragraph.

6 However, the application of meta-analysis to  
7 observational data remains controversial. And in the example  
8 the EPA's use of meta-analysis for the effects of environmental  
9 tobacco smoke, the methods application was set aside by the  
10 North Carolina Federal District Court.

11 You wrote that in 2000?

12 A. That's correct.

13 Q. It is a controversial technique, is it not, Dr. Samet, as  
14 you have yourself written?

15 A. Well, again, very even-handed, and I cited several of the  
16 individuals who have said there were problems with it. That  
17 said, while controversial, it's in widespread use for  
18 summarizing evidence.

19 Q. Can I see Joint Defense Exhibit 012190?

20 Dr. Samet, these are --

21 MS. EUBANKS: If we could wait a moment to get the  
22 exhibit.

23 MR. McDERMOTT: I'm sorry.

24 BY MR. McDERMOTT:

25 Q. For the record, these are notes of a meeting. It's

1       entitled: First Meeting of Authors, Involuntary Smoking and  
2       Health: A Report of the Surgeon General. It's dated April 9,  
3       2001. Do you recognize this document, Dr. Samet?

4       A. I'm familiar with this document.

5       Q. This is a meeting of the authors of the next Surgeon  
6       General's report that's planned, right, the 2005 report?

7       A. That's correct.

8       Q. You are slated to be -- or you are designated as a senior  
9       scientific editor for this next report; is that correct?

10      A. That's correct.

11      Q. And this memo reflects one of the early meetings you had of  
12      the authors to organize for that report?

13      A. That is correct. Possibly the first.

14      Q. And you, in fact, chaired the meeting; correct?

15      A. That's correct.

16      Q. Let's focus on page 23, please.

17               According to this document, Dr. Samet, you told the  
18      meeting participants that the probability value for statistical  
19      significance associated with meta-analysis should not be a  
20      criteria for causality, correct?

21               Could you highlight the language there? It's on page  
22      23. I'm sorry, page 26. My mistake.

23               Do you see that in the middle of the screen? The  
24      probability value for statistical significance associated with  
25      the meta-analysis should not be the criteria for causality?

1       Meta-analysis can be used to derive a risk estimate or an  
2       estimate of public health impact, but should not be central in  
3       decisionmaking.

4               That's what you told the participants; correct?

5       A.   That's apparently a representation of what I said, yes.

6       Q.   Thank you.  You know who Dr. Ernst Wynder and Dr. Dietrich  
7       Hoffman are, don't you?

8       A.   Yes, I'm familiar with them.

9       Q.   Dr. Wynder is a very well known and -- he's actually a  
10      pioneer in the field of smoking health research, isn't he?

11      A.   He was.

12      Q.   He was.  Rest his soul.

13              And Dr. Hoffman, he is also a well known widely-  
14      recognized expert in the field of smoking and health?

15      A.   Correct.

16      Q.   He's a chemist; correct?

17      A.   Yes.

18      Q.   He and his wife have written an awful lot about the  
19      chemistry of mainstream smoke, sidestream smoke and  
20      environmental tobacco smoke; correct?

21      A.   They've certainly written extensively on mainstream and  
22      sidestream smoke, yes.

23      Q.   Would you agree that Dr. Hoffman knows more about the  
24      composition of mainstream smoke than you do?

25              MS. EUBANKS:  Objection, Your Honor.  We've just --

1 we've not established proper foundation to put that question  
2 before this expert witness.

3 THE COURT: Objection is overruled. If the doctor can  
4 answer, he may.

5 A. Well, this is Dr. Hoffman's area of expertise. I'm not a  
6 tobacco smoke chemist.

7 Q. You would defer to him in matters of tobacco smoke  
8 chemistry; right?

9 A. In fact, the Hoffmans I believe are contributors to the 1986  
10 Surgeon General's report.

11 Q. And he is an expert both in mainstream smoke and sidestream  
12 smoke and environmental tobacco smoke; is that fair?

13 A. Well, I think certainly his work focused largely on  
14 mainstream and sidestream smoke.

15 Q. Let me show you JD 000434. This is an article written by  
16 Dr. Ernst Wynder and Dietrich Hoffman. It's entitled: Smoking  
17 and Lung Cancer, Scientific Challenges and Opportunities. It  
18 was published in Cancer Research in 1994.

19 Can I see page 5291?

20 The limited reliability of data obtained by  
21 questionnaire -- we spoke of that earlier -- and the relatively  
22 limited number of nonsmokers with lung cancer who were not  
23 exposed to carcinogens in occupational settings point to the  
24 need for a prospective epidemiological study with exposure  
25 assessment by biomarkers -- and he describes them -- to bring

1       about a conclusive evaluation of the question on causality  
2       between involuntary smoking and lung cancer.

3               Do you see that?

4       A.   Yes, I do.

5       Q.   Was there a legitimate scientific basis in 1994 for the  
6       views expressed by Doctors Wynder and Hoffman? That there was  
7       limited reliability of data obtained by questionnaire?

8       A.   Well, I'm not sure what I'm -- I know what they mean by  
9       reliability. That means repeatability. I think we've already  
10      commented on the issue of the misclassification that may occur  
11      with questionnaire use.

12      Q.   Was there a legitimate scientific basis for the view  
13      expressed by these two gentlemen -- Dr. Wynder and Dr. Hoffman,  
14      in 1994 -- that there was limited reliability to the data  
15      obtained by questionnaire?

16      A.   I'm sorry. Which pages? Maybe it would help if I could see  
17      the context in which this was -- this was page.

18      Q.   Page 5291.

19      A.   Well, this is helpful to look. The preceding paragraph  
20      covers issues related to smoking questionnaires and comparison  
21      to -- so I don't think they meant reliability. I think -- which  
22      is repeatability.

23               I think they meant validity which is the  
24      questionnaire measuring the truth, so they perhaps misspoke  
25      here. I've already addressed the issues of questionnaire

1 validity and the fact it's impossible if you will to perhaps --

2 THE COURT: Doctor, just a minute.

3 A. So I've already addressed the issue of validity of these  
4 questionnaires, that is their classification of smoke, and I  
5 think that's what they are speaking to here, and they provide in  
6 the preceding paragraphs some specific data.

7 And then they make a reference to nonsmokers who are  
8 not exposed to carcinogens and occupational settings. I'm not  
9 sure what that reference means.

10 Q. Was it legitimate in 1994 to express, as they did, did they  
11 have a legitimate scientific basis for expressing the view that  
12 there was a need for a prospective epidemiologic study with  
13 exposure assessment by biomarkers to bring about a conclusive  
14 evaluation, the question of causality between involuntary  
15 smoking and lung cancer?

16 A. Well, apparently, in their mines, but I think certainly by  
17 1994 there had been a number of evidence, reviews that we've  
18 already talked about, that had reached the conclusion that  
19 passive smoking causes lung cancer.

20 Q. They were voicing a legitimate scientific view and  
21 perspective, were they not, Dr. Samet?

22 MS. EUBANKS: Objection, Your Honor. The question has  
23 been asked several times and the witness has responded.

24 THE COURT: I think it has. But can you give a yes or  
25 no to the answer?

1           THE WITNESS: Certainly Wynder and Hoffman were  
2 entitled to express their viewpoints.

3       Q. And it was scientifically legitimate, wasn't it? It was  
4 supported by a scientific basis?

5           MS. EUBANKS: Same objection.

6       A. Well, I mean, again, this is a, you know, less than one page  
7 review of a very complicated topic, so I'm not sure what leads  
8 them to their opinion. But again, they are certainly respected  
9 scientists and they are entitled to their opinion.

10      Q. And this was eight years after the Surgeon General's report  
11 in 1986; correct?

12      A. 1994 was eight years after '86.

13      Q. And eight years after the IARC report in '86?

14      A. Yes.

15      Q. And eight years after the NRC report in 1986?

16      A. That's correct.

17      Q. And two years after the EPA's risk assessment; correct?

18      A. That's correct.

19      Q. I want to ask you a few questions now about an article that  
20 was published in the British medical journal in 2003 by Doctors  
21 Enstron and Kabat.

22           Can I see JD 044908. It's entitled: Environmental  
23 Tobacco Smoke and Tobacco-related Mortality in a Prospective  
24 Study.

25           Dr. Samet, you testified in your written direct that

1       this study, quote, had a number of biases, close quote. Do you  
2       remember that?

3       A. Yes, I do.

4       Q. The biases you were talking about were statistical biases,  
5       were they not?

6       A. I'm not sure I know what you mean by a statistical bias.

7       Q. As opposed to a personal bias.

8               I'm talking about a scientific issue versus an  
9       integrity issue.

10      A. My discussions in my report were certainly scientific.

11      Q. That's all I wanted to establish.

12             And these statistical biases, these scientific issues  
13       that you raise, these concerns basically inhere in virtually all  
14       epidemiological studies relating to ETS, don't they, in one form  
15       or another?

16      A. Well --

17             MS. EUBANKS: Objection, Your Honor. The pronoun in  
18       what he means by "this" in all of the studies. We really don't  
19       have that testimony out here for the record to be clear.

20             THE COURT: Why don't you clarify it, please?

21      BY MR. McDERMOTT:

22      Q. The statistical biases that you were referring to your  
23       direct testimony are not unique to the Enstron and Kabat study;  
24       right?

25      A. I don't use the word statistic bias. There's



1 epidemiological bias or problems, if you will, just to use a  
2 real word.

3 And these effect studies to a greater or lesser extent,  
4 and in this study I think there's some particular problems and  
5 in other studies there are different sets of problems.

6 Q. And we talked about some of those potential problems during  
7 the course of this morning, haven't we?

8 A. Yes, we have.

9 Q. And some of those studies relate to questionnaires and the  
10 possible inaccuracy of exposure data; correct?

11 A. That's correct.

12 Q. Some of them relate to misclassification of smokers, the  
13 confusion of smokers and nonsmokers; correct?

14 A. That's another potential problem.

15 Q. Another potential problem is the misdiagnosis of cancer at  
16 another site as a primary lung cancer; correct?

17 A. That could be a problem, yes.

18 Q. Recall bias. That may be another aspect of the  
19 questionnaire, but recall bias is a potential problem, correct,  
20 or another way of saying it?

21 A. Depending on the context, yes.

22 Q. Now, the Enstron and Kabat study utilized the American  
23 Cancer Society 1 or so-called CPS-I data set; correct?

24 A. That's not fully correct. It utilized a segment of this  
25 study from California residents that Dr. Enstron had access to.

1 Q. But it drew a part on that data set; correct?

2 A. It drew on one component of the CPS-I data set. The cancer  
3 prevention study one of the --

4 Q. Maybe for the record, it would be helpful to explain what  
5 CPS-I and CPS-II are, and what these data sets are very briefly,  
6 Doctor?

7 A. If I can mention -- I mentioned before that cohort studies  
8 were started in the '50s. One that started in 1959 was by the  
9 American Cancer Society. They sent their volunteers door to  
10 door, asked people to complete questionnaires, and enrolled  
11 roughly a million Americans, and then followed them and looked  
12 at smoking and actually some other factors and risk for death  
13 from lung cancer and other diseases.

14 And then just to complete, later in 1980 they did the  
15 same thing with a whole brand-new group of a million Americans.  
16 So the first one is sometimes called CPS Cancer Prevention Study  
17 1 and the second CPS-II.

18 Q. But my point here is that they were using existing data  
19 that's available broadly to the scientific community; right?

20 This was not original work they were doing, original  
21 field research; correct?

22 A. Well, they did some -- they used as a starting point the  
23 California component of the CPS-I. And then again, I'm certain  
24 that they did their own follow-up for the mortality of this  
25 group, because the American Cancer Society did not follow their

1 CPS-I beyond 1972, and this paper goes, as you can see in the  
2 title, through 1998.

3 Q. Let me just -- I want to move on in the interest of time,  
4 Doctor.

5 You say in your direct testimony, let me show you --  
6 let me see page 184 of Dr. Samet's direct testimony, please, at  
7 the top of the page. Let's see the question from the previous  
8 page. Can you scroll down to the bottom?

9 Are you aware of any studies that have not found an  
10 association between passive smoking and lung cancer? And your  
11 answer appears on the following page.

12 Yes. From time to time, studies are published that do  
13 not find a statistically-significant association between  
14 second-hand smoke and exposure to lung cancer. We expect such  
15 studies to be reported as some are likely not to find  
16 significant results just by chance.

17 Can you pull up, Jamie, U.S. Exhibit 20599?

18 What you see on the screen, Dr. Samet, is a meta-  
19 analysis performed by Hackshaw, et al,. That was relied on in  
20 your direct testimony. Are you familiar with that study?

21 A. Yes, I am.

22 Q. And it is -- it's entitled: The accumulated evidence on  
23 lung concern and environmental tobacco smoke, and I'm having a  
24 real hard time seeing where it was published. I guess it's the  
25 British Medical Journal.

1 A. That's correct.

2 Q. You're familiar with that.

3 Let's look at --what's the page reference?

4 Can you blow that up, please?

5 Dr. Samet, this is Table 1 of that study. It's real  
6 hard for me to see. It's real hard for the court to see. It's  
7 probably pretty hard for you to see with a copy in your hand.

8 So what I have done is to literally transpose these  
9 results -- at least, I think I literally transposed these  
10 results -- into another exhibit which I'll show you now that I  
11 think will be easier for us all to read. J-DEM 060303.

12 I'm sorry, I want -- let's go ahead. I'm sorry, that's  
13 just the page out of the article that's bolded. And then let's  
14 go to J-DEM 060304. I hope you can see that a little bit  
15 better.

16 I'm going to break this down, Doctor, if I can, but you  
17 can see, according to the last row, there are 37 studies. 37  
18 studies of women, eight studies of men; correct?

19 A. I think so.

20 Q. And that obviously contains all of the studies.

21 Let me focus first on the studies extracted that deal  
22 with men. Let's see 060305.

23 These are the studies with men, and I miscounted. I  
24 think that's a nine, not an eight. Again, that's hard to deal  
25 with.

1           So let me go ahead to 060306. This highlights these  
2 studies.

3           Is it fair to say -- and take a look at those,  
4 Dr. Samet -- that the only study reporting a statistically-  
5 significant elevated risk is the Hirayama study in 1984 and that  
6 the others do not show statistically-significant elevated risks?

7 A. That appears to be the case.

8 Q. Eight out of nine is a little bit more than from time to  
9 time, isn't it, Doctor?

10           From time to time we expect to see statistically-  
11 insignificant results.

12 A. I guess it's all the word. I used the phrase from time to  
13 time in my testimony.

14 Q. Five of the studies that the -- statistically significant  
15 results were done in the United States; correct?

16 A. I would need time to sort out exactly which study is which,  
17 which might be difficult considering how difficult it is to read  
18 this. That may be correct.

19 Q. All right. And three of the studies show either a negative  
20 overall relative risk or a relative risk of 1; correct?

21           The negative risk is 1984, no increased relative risk,  
22 Kabat 84 and Car-DAE-nas 1984. Did I interpret that correctly,  
23 Doctor?

24 A. I think the issue here is, if you look at the small numbers,  
25 the numbers of cases. I mean, for example, the Butler study

1       had, if I read right, 11 men cases and 10 -- controls -- of  
2       course, this is the problem with the studies of men. They were  
3       very small numbers in these studies, which is why they were,  
4       quote, not statistically significant.

5       Q. The Cardenas study isn't small, is it, Doctor?

6       A. Again, I think -- I can't quite -- I can't read the number  
7       of cases, actually.

8       Q. Is it 96,000, looks like. It looks like it's at least four  
9       times as large as the Hirayama study; isn't that right?

10      A. What's more in terms of significance is not only the number  
11      of people but the number of cases. The number of cases is still  
12      relatively limited. I think I read 97 or 87. I'm not sure.

13      Q. Let's look at the women now, Doctor. Let's go to J-DEM  
14      060308. Again, this is the table from Hackshaw.

15               Can we see J-DEM 060309? These are the 39 studies that  
16      deal with women.

17               And you can check the math, but by my count, 30 of  
18      these 37 studies do not report statistically-significant excess  
19      risk overall. Is that fair?

20      A. That's what's presented here. Again I'm not going to go  
21      through each one of these studies to comment.

22      Q. It's just extracted from a table of an authority that you  
23      rely on, Doctor.

24               MS. EUBANKS: Objection, Your Honor. If we are going  
25      to ask this witness questions and to obtain his opinion, it's

1 really not appropriate for Mr. McDermott to make  
2 representations, unless he gives the witness an opportunity to  
3 answer that. The witness has answered the question about what's  
4 represented on the chart. They had full opportunity at  
5 discovery. This is not appropriate cross-examination.

6 THE COURT: Objection is overruled.

7 Go ahead, please.

8 BY MR. McDERMOTT:

9 Q. Thirty out of 37, that's just over 80 percent; correct?

10 A. That's possibly mathematically true.

11 Again, I think the point here is that most of the point  
12 estimates are above one.

13 Q. But over 80 percent, it's little bit more than from time to  
14 time, isn't it, Doctor?

15 A. I'm not going to get into the definition of time to time.

16 Q. Can you bring up 060310?

17 The six studies highlighted there showed negative  
18 overall relative risks; correct?

19 A. That's correct.

20 Q. I want to turn now to coronary heart disease for a few  
21 minutes. In your direct study -- direct testimony you discussed  
22 the Kawachi study. Do you recall that?

23 A. Yes, I do.

24 Q. The study -- that study was published in 1997, and is a  
25 study, an epidemiological study, of nurses in the United States;

1 correct?

2 A. That's correct.

3 Q. All right. Let's just see that for the record. U.S. 28521.

4 It's a study entitled: A Prospective Study of Passive  
5 Smoking and Coronary Heart Disease. And flip down to the  
6 bottom. Can you go to the bottom of the page, please?

7 I can't read where it's published. Good heavens.  
8 Doctor, maybe you can help me out there.

9 A. Circulation.

10 Q. Thank you very much. My eyes are getting older than I am or  
11 getting older faster.

12 K-a-w-a-c-h-i. Is that correct, Doctor?

13 A. Yes, it is.

14 Q. Now, you submitted a demonstrative to the court relating  
15 to -- let's just see that. It's a demonstrative to the court  
16 that related to the duration of nonsmokers adult wives spent  
17 living with adult smokers.

18 Let me see J-DEM 060221.

19 I think what we need is U.S -- I'm sorry. We need U.S.  
20 17200.

21 Do you recognize this as one of the demonstratives  
22 attached to your direct testimony?

23 A. Yes, I do.

24 Q. Let me see the next demonstrative, 06292.

25 Let me explain what we've done here, Doctor. What



1 we've done is to try to -- what I'm going to do in the series of  
2 graphics is to take that information and turn it a little bit so  
3 we can see more clearly what the numbers mean and gauge the  
4 proportionality. All right. So this is just your demonstrative  
5 with some of the background information extracted.

6 Do you want to go back and take a look at a previous  
7 exhibit just to verify that this is what we're doing? All we've  
8 done is to take the graphic and move it down and isolate it for  
9 the moment. All right?

10 A. I'm sorry. Can I go back again?

11 Q. Certainly.

12 Can you put those side by side?

13 I'm sorry, we can't do that quite yet.

14 A. Okay.

15 Q. Let's flip to the next one, JD 060293.

16 All we've done there is expanded proportionally so that  
17 you can see with the 1.0 relative risk so that you, again in  
18 proportion, can see a little bit better the extent of the  
19 increased risk we're discussing. Do you follow me?

20 A. I do.

21 Q. This chart shows, does it not, that living with a smoker for  
22 10 to 19 years produces a higher relative risk than living with  
23 a smoker 20 to 29 years; correct?

24 A. Well, the numbers higher. Again, there would be confidence  
25 intervals around this.

1 Q. Okay. And do you recall, Doctor, that the underlying study,  
2 the Kawachi study conducted a multivaried analysis to adjust for  
3 or control for, among other things, hypertension, diabetes, high  
4 cholesterol, vigorous exercise and saturated fat intake?

5 A. Yes, I do.

6 Q. And those factors that I just mentioned, high cholesterol,  
7 exercise, fat intake and the like, those are all risk factors  
8 that can contribute to the risks or the onset of coronary heart  
9 disease; correct?

10 A. That's correct.

11 Q. And so the purpose for making those adjustments in the  
12 multivaried analysis is to try to strip out the possible effect  
13 that those factors would have and to try to isolate on the  
14 impact of environmental tobacco smoke, is that fair?

15 A. Well, the general purpose is what you described, yes.

16 Q. Let's go to J-DEM 060295. What you see are the red bars we  
17 just saw. Those are the unadjusted relative risks. And the  
18 yellow bars or the gold bars are the adjusted risk. Correct?

19 A. Well, I haven't verified that from the tables. I assume  
20 that you've just used information in the tables in the paper.

21 Q. Okay. Again we see that the risk -- this isolates on ETS --  
22 the risk of living with a smoker for 20 to 29 years is lower  
23 than the risk of living with a smoker for 10 to 19 years, and  
24 lower than living with a smoker for 1 to 9 years; correct?

25 MS. EUBANKS: Your Honor, my objection here probably

1 can be clarified by the witness.

2 When asked the question, he commented about an  
3 assumption that he was making, but I still don't know what  
4 assumption that this table is based upon so that the witness  
5 continues, and we make the record clear, whether the assumption  
6 that the witness has about what it is that he's answering is  
7 indeed what's intended.

8 MR. McDERMOTT: Your Honor, this chart depicts the  
9 information, the data from Table 4 of the aforementioned Kawachi  
10 study, U.S. 17, 2,000. It just represents that expansion of the  
11 information that Dr. Samet presented in his demonstrative.

12 THE COURT: How did you make the adjustment for risk  
13 factors? Where did that come from?

14 MR. McDERMOTT: These are the numbers out of Table 4 in  
15 the Kawachi study. The adjustment was made by Dr. Kawachi  
16 himself. Can we see Table 4 of that? Let's look at JD 003126.

17 MS. EUBANKS: Perhaps this could be cleared up if we  
18 had the Kawachi articles so that the witness can make that  
19 determination.

20 MR. McDERMOTT: We're going to do that right now.

21 BY MR. McDERMOTT:

22 Q. Can we see JD -- here is the Kawachi article.

23 Have you already given the witness a copy of it?

24 Would you like to turn to Table 4, Doctor?

25 A. I can't read a single number in the Table 4 in the copy I've

1       been given.

2       Q.   Apparently it's the copy supplied by the government, so I  
3       can't answer for you.  We will try to do something better at the  
4       break.

5       A.   This is better.

6       Q.   Do you see that?  Okay.  Do you see the numbers 1.19, 1.54,  
7       1.11, 1.50?

8       A.   Yes, I do.

9       Q.   Those are the numbers that are transposed onto the graph  
10       that I showed you earlier.  If you want to... 1.19, 1.54, 1.11,  
11       1.50.  Do you see that?

12       A.   Yes, I do.

13       Q.   So after adjustment for all of these potential risk factors  
14       or confounders, the Kawachi study shows that living with a  
15       smoker for 20 to 29 years produces a lower relative risk than  
16       living with a smoker for 10 to 19 or 1 to 9 years.  Isn't that  
17       what the study shows?

18       A.   The point estimate is lower for that particular stratum,  
19       yes.

20               THE COURT:  I'm sorry.  Would you repeat that?

21               THE WITNESS:  Yeah.  What I'm trying to say is that for  
22       that particular stratum technical work group, 20 to 29 years,  
23       1.11 is the lowest value, which I think is the point that's  
24       trying to be made.

25       BY MR. McDERMOTT:

1 Q. And just so the record is clear. And perhaps to aid the  
2 understanding of some in the courtroom, perhaps myself included,  
3 why don't you explain briefly what a multivaried analysis is?

4 A. Okay. Again, epidemiologists use statistical tools which we  
5 call multivariable models or multivariable statistical models to  
6 try to take several things into account at once so that when we,  
7 let's say look at the effect of second-hand smoke or living with  
8 a smoker, it's not contaminated by the effects of any other  
9 factors.

10 The technical word we use of contamination is  
11 confounding. And so here what the authors have done and I think  
12 the comparison that's being made is simply to the unadjusted,  
13 where these potential confounding factors have not been taken  
14 into account, and that's the red bars, and then the orange bars  
15 are where they've used this statistical tool and tried to make  
16 certain that there is no residual effect of any of these other  
17 factors when they say, what is the risk of living with a smoker?

18 Q. Let's go back to U.S. 28521 to Table 4 at 2377 and let's try  
19 to blow that up.

20 It is correct, is it not, Doctor, that after adjusting  
21 for those potential confounders, none of the results were  
22 statistically significant?

23 A. You may be correct but I can't say that from this table.

24 MR. McDERMOTT: Can you get a clearer shot of that,  
25 Jamie?

1 THE COURT: It was clearer a few minutes ago.

2 MR. McDERMOTT: It sure was.

3 BY MR. McDERMOTT:

4 Q. After adjustment for the confounding, none of these results  
5 was statistically significant; correct?

6 A. That's correct within any particular group. And again  
7 what's happened now is that the full sample size has been broken  
8 down into these smaller groups.

9 Q. Another coronary heart disease study that you discuss in  
10 your direct testimony is a meta-analysis by H-e. Is it He or  
11 Hay?

12 A. Ha.

13 Q. Spelled H-e. And that was published in the New England  
14 Journal of Medicine in 1999; correct?

15 A. That's correct.

16 Q. Can we see U.S. 64090? Let's look at the first page, first  
17 sentence, background. The effect of -- can you just blow it up,  
18 please?

19 The effect of passive smoking on the risk of coronary  
20 heart disease is controversial.

21 And let's go down to the bottom.

22 Conclusion. Passive smoking is associated with a small  
23 increase in the risk of coronary heart disease. Given the high  
24 prevalence of cigarette smoking the public health consequences  
25 of passive smoking with regard to coronary heart disease may be

1       important.

2               The authors used the word "associated" not "caused";  
3       correct?

4       A.   That's correct.

5       Q.   And they say "may," not "is" or "does" or "should be";  
6       correct?

7       A.   That's in regard to interpretation of their findings,  
8       correct.

9       Q.   Correct.  You also talk about a study published by LeVois or  
10      LeVois.  Do you know how he pronounces his name?

11      A.   LeVois.

12      Q.   LeVois.  L-e capital V-o-i-s.  And Layard, L-a-y-a-r-d.

13              Can we see JD 047060?  For the record, this is an  
14      article entitled:  Publication Bias in the Environmental Tobacco  
15      Smoke slash Coronary Heart Disease Epidemiologic Literature, and  
16      it is published in Regulatory Toxicology and Pharmacology in  
17      1999.

18              Now, you noted in your direct testimony that these  
19      gentlemen were funded by the tobacco industry; correct?

20      A.   I think it was a 1995 paper.  It states that it was  
21      supported in part by funding from Philip Morris USA.

22      Q.   Let's look at the bottom.  That's expressly acknowledged in  
23      the study; correct?

24      A.   That's correct.

25      Q.   And you testified in your direct -- and I can show it to you

1       if you like -- except for analysis of CPS-I and CPS-II presented  
2       by LeVois and Layard in 1995, all other studies have  
3       demonstrated at least a modest increase in risk for fatal and  
4       nonfatal CHD due to second-hand smoke exposure; correct? You  
5       testified about that?

6       A. It sounds familiar.

7       Q. Would you like to see your testimony? We can throw it up on  
8       screen if you would like.

9               THE COURT: What page of the testimony?

10              MR. McDERMOTT: Page 192, lines 4 through 23, and  
11       actually that, what I just read, is line 21 to 23. If you can  
12       highlight that.

13       Q. Do you see that testimony?

14       A. Yes, I do.

15       Q. You go on to compare their analysis of the data from CPS-I  
16       and CPS-II with Dr. Steenland's analysis of CPS-II; correct?

17       A. That's correct.

18       Q. Can you give the witness a copy of Dr. Steenland's article?  
19       That's JD 003037. And it's an article entitled: Environmental  
20       Tobacco Smoke and Coronary Heart Disease in the American Cancer  
21       Society CPS-II Cohort, and that's published in circulation in  
22       1996; correct?

23       A. That's correct.

24       Q. This is the article you were referring to?

25       A. Correct.



1 Q. Now, LeVois and Layard were analyzing both CPS-I and CPS-II  
2 data, correct, and while Steenland was only analyzing CPS-II  
3 data.

4 A. Could I just have a moment to look at the --

5 Q. Absolutely.

6 A. Okay.

7 Q. Let's go back to JD 047060 at page 89.

8 In the LeVois and Layard article the authors break out  
9 their CPS-I and CPS-II data and report the combined data;  
10 correct? But they have a separate analysis for CPS-II reported;  
11 is that correct?

12 A. Give me a moment, please.

13 Q. Certainly.

14 A. There is a table -- I'm sorry, you're referring to Table 4?

15 Q. I'm referring to Table 4 in the middle where they break out  
16 separately CPS-II data.

17 In addition to dealing with the earlier study, they  
18 deal separately and report separately on just the analysis in  
19 CPS-II; correct?

20 A. Correct.

21 Q. And that's the body of information that Dr. Steenland deals  
22 with in his article, correct, just CPS 12?

23 A. He used CPS-II data, correct.

24 Q. Let me show you Joint Defense Exhibit 060297, demonstrative,  
25 rather.

1           What we've done here, Doctor -- and please feel free to  
2     compare the data with the original articles -- is we have simply  
3     extracted from the LeVois and Layard article their reported  
4     results on CPS-II and compared them for -- compared them with  
5     the report or results in the Steenland study analyzing -- and  
6     this is, I believe it's women. Do you see that?

7           Do you need to check the numbers or can we proceed?

8     A. Well, I possibly need to check the numbers. I'm just trying  
9     to understand -- the comparison in both instances is spouse  
10    never smoked. I'm looking -- it's 1.00 for Steenland. I'm  
11    trying to see whether ex-smokers -- it's a little confusing.

12    Q. I don't believe this analysis -- ex-smokers separately on  
13    the top line as you can see. I don't believe ex-smokers are  
14    mixed into the population group, the study population.

15    A. Could you refer me to a table in Steenland where I should  
16    look for this?

17    Q. Table 2. Doctor, I don't want to hurry you, but I don't  
18    want to make it too complicated either.

19           My point is really quite simple. I merely want to  
20    point out that the relative risks reported by LeVois and Layard  
21    are very close to those reported in Steenland; correct?  
22    Relative risks?

23           MS. EUBANKS: Your Honor, the witness has stated that  
24    he would like to be able to check. If we're going to get an  
25    answer that that question I'd rather have the testimony --

1           THE COURT: If he needs more time he may have it. It  
2     may be that the question is simple enough that he can answer it.  
3     So I'm going to leave that up to the doctor.

4     A. Well, I see where the numbers came from for the Steenland.  
5     This is in reference to women and it shows some of the data for  
6     some of the categories, all the categories of smoking.

7           The LeVois, Layard, which table did that come from?

8     Q. It's Table 4 and it's the segment in kind of the middle  
9     where CPS-II was broken out separately. Do you see that?

10    A. Yes, I do.

11    Q. And again, if we can go back to the -- my point is simple,  
12    Doctor. The reported relative risks are very close, correct,  
13    for ex-smokers and a confidence intervals very close?

14    A. They are similar. They were analysis of similar data.

15    Q. And the same is for smokers less than 20, or cigarette  
16    smoked by a spouse less than 20, confidence intervals?

17    A. Correct.

18    Q. There is a slight difference with respect to 20 to 39  
19    because they reported a little bit differently. And then with  
20    respect to 40 plus smoking, LeVois and Layard report a higher  
21    relative risk; correct?

22    A. In that stratum, yes.

23    Q. You didn't mean to suggest in your direct testimony, did  
24    you, that the analysis of the data performed by LeVois and  
25    Layard was somehow wrong or corrupted simply because they

1 received funding from cigarette manufacturer, did you?

2 A. I don't think I made any statement in that regard.

3 Q. That is not your opinion, correct, that they were corrupted?

4 A. I have no basis for that opinion.

5 Q. Thank you. Let me ask you to turn to -- I want to talk now

6 about the what I've sometimes heard referred to as the MRFITs

7 study, the multiple risk factor intervention study by Svendsen.

8 You talked about in your direct testimony, didn't you?

9 A. It's one of the references I relied on.

10 Q. Why don't we give you JD 003035. I think that's a copy of

11 the study. Can we pull that up? 003035.

12 The summary of the study on the first page. That study

13 reports the relative risk of coronary heart disease death for

14 never smokers, married to smokers, is 2.11; correct?

15 A. That's correct.

16 Q. And the relative risk for never smokers married to smokers

17 for fatal or nonfatal coronary heart disease for nonfatal

18 coronary heart disease was 1.48, correct?

19 A. Correct.

20 Q. And neither one of those results is statistically

21 significant; correct?

22 A. That P less than point 05, that's correct.

23 Q. Now, you note in your direct testimony that the study also

24 combined former smokers along with never smokers as part of

25 their analysis; correct?

1 A. They had the study originally included substantial numbers  
2 of smokers.

3 Q. If you look down at the bottom of the page, 790 -- let's  
4 see, footnote, where is that?

5 You see right here, includes both never smokers and  
6 ex-smokers who quit prior to entry into the trial; correct?

7 A. Correct.

8 Q. Now, former smokers as a group have a higher relative risk  
9 of CHD coronary heart disease than never smokers; correct?

10 A. Depends on how long it has been since they stopped smoking.

11 Q. Well, let's look at U.S. Exhibit 17141. This is a  
12 demonstrative attached to your direct testimony in which you  
13 show a decline in -- excuse me. We got the wrong -- we needed  
14 heart disease. We have the wrong exhibit number there.

15 MR. McDERMOTT: Excuse me, Your Honor. I'm sorry.

16 (Pause)

17 MR. McDERMOTT: It should be 17160.

18 Q. As you show there, Doctor, the risk falls off for years of  
19 cessation, but it remains elevated for a substantial period of  
20 time; correct?

21 A. This is a representative group of studies. Roughly the risk  
22 falls by about 50 percent of the first year after quitting, and  
23 I think, in general -- and I think we covered this in the 1990  
24 report -- it's thought that roughly by 10 years after cessation  
25 it's followed back in every smoker, clearly some studies have

1 shown continued excess risk.

2 Q. Nevertheless, Doctor, when you add ex-smokers to the group  
3 of never smokers and measure the risk of heart disease, you  
4 would expect that the reported risk or the apparent risk for the  
5 combined groups would be higher than for never smokers only,  
6 wouldn't you?

7 A. Well, I guess within the MRFITs study that would again  
8 depend on who this particular group of former smokers were and  
9 how long it had been since they quit. Possibly yes.

10 Q. As a general proposition, when you add former smokers in,  
11 you would expect it to increase the apparent relative risk,  
12 wouldn't you?

13 A. I think this slide shows, it would depend on how long it's  
14 been for the group since they quit smoking. Perhaps if they all  
15 quit 20 years ago, the answer might be no, but if it's a mix of  
16 more recent and longer term quitters, the answer would be yes.

17 Q. That would be one's expectation as an abstract proposition;  
18 correct?

19 A. Well, I think based on the kind of evidence that's shown  
20 here, that would be a correct statement.

21 Q. Now, you testified with respect to the MRFITs study that  
22 when you added ex-smokers to the never smokers, the quote  
23 relative risks showed little change. Do you recall that  
24 testimony?

25 Let me show you. Page 195 at line 21.

1           These relative risks showed little change when the  
2       former smokers were included in the analysis or when the  
3       adjustment was made for other risk factors for coronary heart  
4       disease. Do you see that?

5       A. Yes, I do.

6       Q. That's not quite right, is it, Doctor? Let's take a look at  
7       JD 003035. I'd like to see page 783. The summary on the first  
8       page.

9           Let's focus on this. The smokers who quit prior to  
10      entry were included in the analysis. Relative risks for men  
11      whose wives smoked compared with men whose wives did not smoke  
12      for the above end points were 1.45 and 1.72, respectively.

13           Let's take a look at those results, Doctor, and I've  
14      compared them in a demonstrative, 060300. At least I hope I  
15      have.

16           All right. This was the original report or result  
17      2.11. Do you recall that from the abstract on page 1?

18      A. Yes, I do.

19      Q. When we throw in former smokers, the relative risk drops  
20      substantially, doesn't it? It goes from 111 percent elevated  
21      risks to 48 percent relative risk, correct?

22      A. Well, the numbers speak for themselves. 2.11.

23      Q. And they drop rather than going down and they drop rather  
24      substantially, don't they?

25      A. It dropped from 2.11 to 1.48.

1 Q. And again, among women, the same thing. It dropped rather  
2 than increasing; correct?

3 A. That's correct.

4 Q. I want to go back to the demonstrative that you created, and  
5 it's regarding the British smoker study. Your chart is U.S.  
6 17121.

7 Do you recognize this, Dr. Samet? This is your graphic  
8 depiction of the results of the 50-year follow up and the  
9 British doctors study?

10 A. Well, it's not what you said it is. It's a follow-up of the  
11 British doctors study at different points. 10 years, 20 years,  
12 40 years and 50 years.

13 Q. I accept that correction, Doctor. Thank you. This is your  
14 demonstrative.

15 Now what I've done is to take your figure, and let's  
16 see JD 060301. What we've done is just carry forward the  
17 graphic depiction of the results you just had with the MRFITs  
18 results, and as you can see, according to MRFIT, exposure to  
19 environmental tobacco smoke is -- imposes greater risks than  
20 smoking 25 cigarettes a day for 50 years; correct?

21 A. This is a little bit of an apples and/orange comparison.  
22 Again, just to make clear, that British physicians studies the  
23 relative risk comparison is smokers in these different groups to  
24 nonsmokers, and the 2.11 is a comparison to people passively  
25 exposed to not passively exposed and the comparison numbers is



1       there.

2       Q.   According to MRFIT, the people in that study would have done  
3       better to move out of their houses, take up smoking for 20, 40  
4       or 50 years that would have lowered their risk of coronary heart  
5       disease; right?

6       A.   I can't --

7       Q.   If we are to credit the results of that study.

8       A.   I can't answer that question.

9       Q.   Isn't that the logical implication of the results reported,  
10       Doctor?

11       A.   That's your logic in answering that question, not mine.

12       Q.   You would agree with me that it would be an entirely  
13       anomalous result for exposure to environmental tobacco smoke to  
14       produce a greater relative risk of coronary heart disease than  
15       smoking more than 25 cigarettes a day for 50 years, wouldn't  
16       you? That doesn't sound right, does it?

17       A.   I think what's not being shown is the 2.11 is the confidence  
18       interval around the estimate. This is one estimate from a  
19       single study, and its value 2.11 is what was estimated by the  
20       investigators.

21       Q.   I understand. Let's move on.

22               THE COURT: I think actually we will probably take a  
23       lunch break at this point. It's a good time, Mr. McDermott.

24               Dr. Samet, you may step down.

25               How much longer do you think you're going to be this

1       afternoon?

2               MR. McDERMOTT:  Maybe a couple of hours, Your Honor.  
3       I'm not sure.  I'll try to pare it back.

4               THE COURT:  Are there going to be -- is there going to  
5       be other questioning from other defense counsel?

6               MR. BERNICK:  Not at this time.

7               MS. SCHWARZSCHILD:  No.

8               THE COURT:  2:00 o'clock everyone.  Did you have a  
9       question?  You will have a few questions, okay.

10              2:00 o'clock everyone.

11              (Morning proceedings concluded at 12:40 p.m.)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 INDEX

2 WITNESS: PAGE:

3 JONATHAN M. SAMET  
4 DIRECT EXAMINATION 1024  
CROSS-EXAMINATION 1065

5 \*\*\*\*\*

6

7

\*\*\*\*\*

8

CERTIFICATE

9 I, EDWARD N. HAWKINS, Official Court Reporter, certify  
10 that the foregoing pages are a correct transcript from the  
record of proceedings in the above-entitled matter.

11

Edward N. Hawkins, RMR

12

13

14

15

16

17

18

19

20

21

22

23

24

25

UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF COLUMBIA

UNITED STATES OF AMERICA,	.	
	.	
Plaintiff,	.	Docket No. CA CA99-02496
	.	
v.	.	
	.	
PHILIP MORRIS USA, et al.,	.	Washington, D.C.
	.	September 29, 2004
	.	
Defendants.	.	
. . . . .	.	

VOLUME 6  
AFTERNOON SESSION  
TRANSCRIPT OF BENCH TRIAL PROCEEDINGS  
BEFORE THE HONORABLE GLADYS KESSLER,  
UNITED STATES DISTRICT JUDGE

APPEARANCES:

For the Plaintiff:	U.S. DEPARTMENT OF JUSTICE Civil Division Sharon Y. Eubanks, Director 1331 Pennsylvania Avenue, N.W. Suite 1150 Washington, D.C. 20004 202.616.8280
	U.S. DEPARTMENT OF JUSTICE Civil Division Stephen D. Brody, Deputy Director 1331 Pennsylvania Avenue, N.W. Suite 1150 Washington, D.C. 20004 202.616.1438
	U.S. DEPARTMENT OF JUSTICE Civil Division Russel B. Kinner, Tobacco Litigation Team 1331 Pennsylvania Avenue, N.W. Suite 1150 Washington, D.C. 20004 202.616.3797

Scott L. Wallace, RDR, CRR  
Official Court Reporter

## APPEARANCES: Cont.

U.S. DEPARTMENT OF JUSTICE  
Criminal Division  
Frank J. Marine, Sr. Litigation  
Counsel  
Organized Crime and Racketeering  
Section  
1301 New York Avenue, N.W.  
Suite 700, P.O. Box 27598  
Washington, D.C. 20530  
202.514.0908

For Defendant:  
Philip Morris USA,  
Inc.

WINSTON & STRAWN  
Dan K. Webb, Esq.  
Thomas J. Frederick, Esq.  
35 West Wacker Drive  
Chicago, IL 60601-9703  
312.558.5700

HUNTON & WILLIAMS  
Patricia M. Schwarzschild, Esq.  
Riverfront Plaza, East Tower  
951 East Byrd Street  
Richmond, VA 23219  
804.788.8728

For Defendant:  
Lorillard Tobacco  
Company

THOMPSON COBURN  
J. William Newbold, Esq.  
One US Bank Plaza  
St. Louis, MO 63101  
314.552.6000

For Defendant:  
Brown & Williamson  
Tobacco Corporation

KIRKLAND & ELLIS, LLP  
David M. Bernick, Esq.  
Kenneth N. Bass, Esq.  
200 East Randolph Drive  
Chicago, IL 60601  
312.861.2248

For Defendant:  
R.J. Reynolds Tobacco  
Company

JONES DAY  
Jonathan M. Redgrave, Esq.  
Peter J. Biersteker, Esq.  
Robert Francis McDermott, Esq.  
51 Louisiana Avenue, N.W.  
Washington, D.C. 20001  
202.879.3939

Scott L. Wallace, RDR, CRR  
Official Court Reporter

## APPEARANCES: Cont.

For Defendant: British American Tobacco (Investments), Ltd.	CHADBOURNE & PARKE, LLP David Wallace, Esq. 30 Rockefeller Plaza New York, NY 10112 212.408.5498
For Defendant: Liggett Group, Inc.	KASOWITZ, BENSON, TORRES & FRIEDMAN Aaron H. Marks, Esq. Nancy Straub, Esq. 1633 Broadway New York, NY 10019 212.506.1700
For Defendant: Tobacco Institute	COVINGTON & BURLING Phillip Dube, Esq. 1201 Pennsylvania Avenue, N.W. Washington, D.C. 20009
For Defendant: The Counsil for Tobacco Research USA, Inc.	DEBEVOISE & PLIMPTON, LLP Kevin C. Lombardi, Esq. 555 13th street, N.W. Washington, D.C. 20004 202.383.8084
For Defendant: British American Tobacco Australian Services, Ltd.	SHAW PITTMAN, LLP Jack McKay, Esq. Alvin Dunn, Esq. 2300 N Street, N.W. Washington, D.C. 20037 202.663.8355
ALSO PRESENT:	ADR ASSOCIATES, LLC Shana L. Malinowski 1666 Connecticut Ave., N.W. Washington, D.C. 20009 202.332.0490
Court Reporter:	Scott L. Wallace, RDR, CRR Official Court Reporter 333 Constitution Avenue, N.W. Room 6814, U.S. Courthouse Washington, D.C. 20001 202.326.0566

Proceedings reported by machine shorthand, transcript produced  
by computer-aided transcription.

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1 P R O C E E D I N G S

2 (2:02 p.m.)

3 THE COURT: Mr. McDermott, please, you are going to finish  
4 this afternoon, aren't you or do you think not?

5 MR. McDERMOTT: I certainly hope so, Your Honor, I'm  
6 planning on it. If the Court would like, we would take up the  
7 matter of the backdrop for the chart that I -- or the exhibit  
8 that I showed the witness earlier, if I may approach.

9 THE COURT: All right, that's fine.

10 CONTINUED CROSS-EXAMINATION OF JONATHAN M. SAMET, M.D.

11 BY MR. McDERMOTT:

12 Q. Dr. Samet, let me show you what I have here. These are  
13 the numbers in the charts, these were the studies referenced,  
14 eight being yours and the five in the Surgeon General's Report,  
15 and the studies are listed here. The 13 comes from the --

16 THE COURT: Mr. McDermott, don't forget our court reporter  
17 is trying to take it down.

18 MR. McDERMOTT: Thank you. I'm sorry, Your Honor.

19 BY MR. McDERMOTT:

20 Q. And the final set of numbers right there. So, if you  
21 would like to make that comparison and satisfy yourself we've  
22 transposed the numbers correctly or translated them.

23 A. I don't think I want to review them all now. I trust  
24 that's been done correctly.

25 Q. All right. Thank you.

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1           We spoke briefly, earlier, of the Dr. He, study, H-E, and  
2   that appeared in the New England Journal of Medicine.

3   A.       Yes, I do.

4           THE COURT:  And "He" appears where?

5           MR. McDERMOTT:  That is a very good question, Your Honor.

6           THE COURT:  It's in 180s, 190s.  I think it's 194.

7   Mr. McDermott, am I right, you're talking about the study  
8   referenced at the top of page 194.

9           MR. McDERMOTT:  Yes, Your Honor.  Yes, Your Honor, I'm  
10   sorry.

11   BY MR. McDERMOTT:

12   Q.       In that same volume of the journal, New England Journal  
13   of Medicine, a Dr. John Baylar wrote a letter which I'll have  
14   handed to you right now, it's JD 002898.

15           And Dr. Baylar --

16           MS. EUBANKS:  Excuse me, if I could have a copy.

17   BY MR. McDERMOTT:

18   Q.       This is a -- Dr. Baylar is a professor emeritus at the  
19   University of Chicago.

20           THE COURT:  Now wait a minute.  Does Dr. Samet have this  
21   letter?  It's not up on the screen.

22           THE WITNESS:  And if I could make a correction, it's not a  
23   letter, it's an editorial.  It was not a letter, but it was an  
24   editorial commentary prepared by Dr. Baylar on the article  
25   published in the New England Journal.



1 BY MR. McDERMOTT:

2 Q. I'm sorry, I thought it was a letter to the editor. I  
3 will accept your interpretation.

4 In that, if we can focus on the language, "I regretfully  
5 conclude -- "

6 MR. McDERMOTT: Maybe we shouldn't have taken a lunch  
7 break, Your Honor. I'm sorry.

8 THE COURT: Well, maybe I could have taken a few more  
9 steps in the open air.

10 MR. McDERMOTT: At least there isn't rain.

11 BY MR. McDERMOTT:

12 Q. "I regretfully conclude that we still do not know with  
13 accuracy how much, or even whether, exposure to environmental  
14 tobacco smoke increases the risk of coronary heart disease."

15 Now, my question to you, Dr. Samet, is that a  
16 scientifically legitimate viewpoint to express given the state  
17 of the science?

18 A. I just have to say I was quite disappointed when I saw  
19 that John Baylar had published this commentary, because he does  
20 not like met analysis and that's what he talks about in the  
21 editorial. He really does not go into the heart disease risk  
22 except to say he doesn't like the way He and colleagues did  
23 their analysis, and reached that conclusion. But he cites in  
24 his three references none of the many other people who have  
25 commented on this. He does not comment on any of the other

1 expert reports, and his background is not really in this area,  
2 so he's a respected is scientist, and this is his opinion, but  
3 in this commentary, it is basically all on the weaknesses of  
4 metanalysis.

5 Q. But it is a scientifically legitimate opinion to express,  
6 is it not?

7 A. It's an opinion. Again, all I can say is that in the  
8 page and a half of commentary here, it's a critique of met  
9 analysis of observational studies and he really does not go into  
10 the plausibility of the association, the experimental studies of  
11 passive smoking, you know, the way one would if you were  
12 addressing the whole topic. And in a way I thought my comment  
13 about this was that he was shooting the message because of the  
14 messenger, he just simply did not like metanalysis.

15 Q. And he certainly is not a fan of tobacco to your  
16 knowledge, is he?

17 MS. EUBANKS: Objection, Your Honor. Characterization.  
18 What does the questioner mean by "fan"?

19 THE COURT: If you would ask the question I might sustain  
20 the objection, but since the objection comes from the defendants'  
21 counsel I'm going to overrule it, it's their characterization.

22 THE WITNESS: I've not addressed this issue with  
23 Dr. Baylar.

24 BY MR. McDERMOTT:

25 Q. You certainly have no knowledge of the effect that he

1 has, do you?

2 A. I'm sorry?

3 Q. You have no information that he is a partisan of tobacco?

4 A. I don't have information one way or the other.

5 MR. McDERMOTT: Can we see JD 024502?

6 THE COURT: 005?

7 MR. McDERMOTT: 024502.

8 BY MR. McDERMOTT:

9 Q. Let's focus on page 505. What would appear on the

10 screen, I hope, is a comment from the editor of the British

11 Medical Journal, Dr. Richard Smith, that appeared in August of

12 last year. You've read it before?

13 A. Yes, I have.

14 Q. Okay. And the British Medical Journal is one of the most

15 prestigious peer review journals in the world, is it not?

16 A. It's a well respected journal.

17 THE COURT: And could we have the date on this, please?

18 MR. McDERMOTT: I'm not sure I know more than August --

19 August 30th, I'm sorry. August 30th, 2003.

20 BY MR. McDERMOTT:

21 Q. And in his comment he says, "We must be interested in

22 whether passive smoking kills, and the question has not been

23 definitively answered. It's a hard question and our methods are

24 inadequate."

25 Dr. Samet, there was a legitimate scientific basis for

1 the British Medical Journal's editor to make that statement, was  
2 there not?

3 A. I have simply no idea why Dr. Smith made this two-  
4 sentence statement. I mean, it's a comment from the editor. I  
5 don't know Dr. Submit's background, and I don't -- I was quite  
6 surprised, actually, to see, again, this statement. And I don't  
7 know why Dr. Smith made it. He doesn't give the scientific  
8 basis, he says the question has not been definitively answered,  
9 but gives no, no commentary as to why he reached that  
10 conclusion.

11 Q. There's an extensive article on environmental tobacco  
12 smoke and its link to disease in nonsmokers that appears in that  
13 volume of the journal; isn't that correct?

14 A. Are you referring to the --

15 Q. Excuse me. I'm sorry.

16 It was the edition before that. The Enstrom,  
17 E-N-S-T-R-O-M, and Cabot article about which we spoke earlier.  
18 Do you recall that? Do you recall the article by Enstrom --

19 A. Of course we do.

20 Q. -- that we spoke of. And wasn't this a comment in  
21 response to a flood of criticism that came into the British  
22 Medical Journal?

23 A. Dr. Smith was commenting here on the articles that came  
24 into the British Medical Journal.

25 Again, why, in 2003 with so much evidence and so many

1 accumulated reports Dr. Smith raises questions about the other --

2 I can't speak to.

3 Q. Okay. Dr. Samet, would you agree that it is legitimate  
4 for Dr. Smith to voice his views?

5 A. I agree that Dr. Smith can voice his views. I think if  
6 he's raising questions, it would be useful if he supplied the  
7 basis for those views, which he did not do here.

8 Q. You wouldn't say his view should be silenced?

9 A. Dr. Smith is the editor of the journal.

10 Q. I want to move on to another topic, Dr. Samet, and I  
11 think we can be fairly brief on this.

12 I want to talk a little bit about your involvement in the  
13 model that has been developed in this case by Doctors Wyant and  
14 Zeger that estimates health care costs that they say are  
15 attributable to smoking.

16 Am I correct that your personal role in the development of  
17 this model, the design of this model, was very limited?

18 A. That's correct.

19 Q. And is it also the case that with respect to this model,  
20 even though you did not personally review all of the specifics,  
21 you thought and said it was important that the model address  
22 diseases that are actually caused by smoking?

23 A. Well, I said that the model suggests both those diseases  
24 that are caused by smoking and the consequences of the reduced  
25 health of smokers compared to nonsmokers.

- 1 Q. Okay. But you want to be certain, did you not, that they  
2 were identifying persons as having the disease who really had it  
3 and try to avoid false positive identifications of persons  
4 having a smoking caused disease?
- 5 A. I had discussed with them the approach to using a  
6 diagnostic information to try and avoid false positive  
7 diagnoses.
- 8 Q. And one of the suggestions you made was that they use age  
9 40 as a cutoff because smoking related diseases only appear very  
10 rarely in persons under the age of 40, correct, at least that  
11 are attributable to smoking?
- 12 A. The major specific smoking related diseases are  
13 relatively uncommon before age 40, correct.
- 14 Q. Okay. And so, for example, if a person had heart disease  
15 at 40, and had a congenital defect condition, it would be  
16 inappropriate to include that person in a model that attributed  
17 that person's healthcare costs to smoking; is that correct?
- 18 A. Well, congenital heart defects, to my knowledge, have not  
19 been linked to smoking, not to say active smoking does not  
20 influence degenerative heart defects.
- 21 Q. But your recommendation is that you wanted people who  
22 were diagnosed with a condition over 40, correct?
- 23 A. Well, this is a conservative assumption to avoid false  
24 positive classifications.
- 25 Q. And you urged that assumption on them, correct?

1 A. I made that recommendation.

2 Q. I want to move on to another area very quickly, low tar,  
3 but let me just --

4 MR. McDERMOTT: Can I see the ELMO real quickly.

5 BY MR. McDERMOTT:

6 Q. I want to clarify one thing. I think I'm correct, but  
7 let me direct your attention to this bit of testimony which  
8 appears on 161 of your report, lines 21 through 23. I think you  
9 may have that backwards. Did you mean to say that studies found  
10 a 20 percent lower risk of disease in smokers of high yield  
11 cigarettes?

12 A. Your sharp eyes caught something that should be reversed,  
13 yes.

14 Q. Okay. That's just reversed. All right. Thank you.

15 You spoke very briefly in your direct testimony about the  
16 measurement of tar and nicotine by the FTC method, and in fact,  
17 you testified in your written direct that the FTC test method is  
18 not informative with respect to lung cancer risk or to the risks  
19 of smoking caused diseases generally. Do you recall that  
20 testimony?

21 A. Yes, I do.

22 Q. Okay. You understand, don't you, that the FTC method was  
23 not intended to measure the yield of tar and nicotine that the  
24 average smoker gets, do you not?

25 A. It's a measurement -- excuse me -- made by a machine of

1     yield under the specified circumstances. I understand that.

2     Q.     And it was not intended to mimic human smoking as such,  
3     correct?

4     A.     Well the original data on which the machine patterns of  
5     puffing are based were of human observations, so at least as far  
6     as how the machine smokes the cigarette, the pattern of puffing  
7     and so on was based on, I think, early observations.

8     Q.     It was not intended to mimic every person smoking, or to  
9     represent an average person smoking, was it doctor?

10    A.     Not to my knowledge.

11           THE COURT: Well, then, what was it? What, to your  
12    knowledge now, was it intended or designed to do?

13           MR. McDERMOTT: Your Honor, perhaps I can clarify if we  
14    can put up the next exhibit.

15           THE COURT: All right.

16           MR. McDERMOTT: JD 040254.

17    BY MR. McDERMOTT:

18    Q.     And let's, at page 2. "In determining the testing method  
19    the commission is not attempting to gauge the test to the amount  
20    of smoke to tar and nicotine which the average smoker will draw  
21    from any particular cigarette." And then on to the next --  
22    excuse me, second to the last paragraph on that same page.

23           "Thus, to reiterate the uniform method determined by the  
24    commission has, as its purpose, measurement of the tar and  
25    nicotine generated by cigarettes when smoked according to that



1 procedure."

2 Just a standardized test, Your Honor, to permit inter  
3 brand comparisons. Do you agree with that Dr. Samet?

4 A. Well, certainly the information has been attractive over  
5 time as tar and nicotine yields have changed. So it would be, I  
6 think, used for inter brand comparisons. Results have been  
7 reported on how these yields have changed over time as well.

8 Q. But it was a standardized test to permit comparisons.  
9 The EPA gas mileage for example is not a guarantee that you are  
10 going to get the number on the sticker, right, but, if you get a  
11 Volkswagen and compare it to a Hummer, you know, no matter how  
12 you drive the Volkswagen you're probably going to do a little  
13 bit better than if you drive the Hummer the same way, correct?

14 MS. EUBANKS: Objection, Your Honor. This is certainly  
15 beyond the scope of this witness's area of expertise, and it's  
16 also beyond the scope of his direct testimony. He's not here as  
17 an expert on the FTC method, he did have some passing testimony  
18 about it, but he's not a competent witness to testify to these  
19 matters.

20 THE COURT: Well, I think that's probably right, and I'm  
21 the one who started asking him the more detailed questions on  
22 that, so as to understand his understanding of the FTC method.  
23 We're obviously going to have people in this trial who are much  
24 closer to that issue than Dr. Samet.

25 BY MR. McDERMOTT:

1 Q. Let me just ask you this, Dr. Samet: Would you agree,  
2 the real question with respect to the FTC method is not whether  
3 it reflects the average smoker's intake, but rather the  
4 cigarettes with lower FTC yields, on average, actually deliver  
5 less tar to smokers than cigarettes with higher FTC yields?

6 A. Could you repeat that one more time?

7 Q. I'll actually -- maybe the court reporter can repeat it  
8 or we might not get it quite right.

9 THE COURT REPORTER: "Let me just ask you this, Dr. Samet:  
10 Would you agree, the real question with respect to the FTC method  
11 is not whether it reflects the average smoker's intake, but  
12 rather the cigarettes with lower FTC yields, on average, actually  
13 deliver less tar to smokers than cigarettes with higher FTC  
14 yields?"

15 THE WITNESS: I'm not sure -- I want to hear it again  
16 because I'm not sure I know what the real question is by what is  
17 meant by that. This is one of the questions that might be raised  
18 about interpretation of the FTC machine yields, how does it  
19 relate to what actually gets into a smoker.

20 MS. EUBANKS: Your Honor, I would object to this question,  
21 particularly in light of this expert witness's exam -- statement  
22 just now about his understanding or lack of understanding.

23 THE COURT: Sustained.

24 BY MR. McDERMOTT:

25 Q. In your direct testimony, Doctor, you refer to an article

1 written by Dr. -- I may not get this right, Djordjevic,  
2 D-J-O-R-D-J-E-V-I-C, that appears in the journal of the National  
3 Cancer Institute in 2000. Let's take a look at that, JD 003743.

4 THE COURT: And where is that discussed, please, in the  
5 direct?

6 MR. McDERMOTT: It's in his direct testimony at 147, line  
7 22 over to line 13 on the following page, Your Honor.

8 BY MR. McDERMOTT:

9 Q. And you cite that for the proposition that smokers  
10 actually receive more tar and nicotine than the FTC numbers  
11 indicate, correct?

12 A. I think, as the article says, it's a proportionate  
13 assumption, but they would not receive proportionately to what  
14 is measured by the machine what actually goes into people,  
15 correct.

16 Q. Okay. But it also contains information, does it not, on  
17 whether the smokers of low-yield cigarettes do get less tar and  
18 nicotine than the smokers of medium tar cigarettes, right? It  
19 makes a direct comparison between those two?

20 A. Let me just look at the article.

21 Q. Certainly. Let me put up on the screen table 3 of page  
22 109, and maybe that will assist you. I'm sorry -- okay.

23 I'm sorry, I have the wrong table up there. Let me turn  
24 to table 1, page 108. That's where we are.

25 You see there, Doctor, the highlighted line? If you look

1 on the screen. Low-yield cigarettes, the number of cigarettes  
2 per day on average, 14.9 versus medium-yield, 15.9. Do you see  
3 that?

4 A. Yeah, but let me clarify, but again, I think this table  
5 refers to what the individuals were smoking and then they were  
6 assigned in this study to smoke low-yield or medium-yield, if I  
7 remember correctly. So this was a number of cigarettes they  
8 were smoking under their usual smoking circumstances, and then  
9 they came in and were given cigarettes, I think, -- there may  
10 have been smokers of low-yield or medium-yield, and then the  
11 measurements were made with experimental cigarettes, if I  
12 recall.

13 Q. All right. Let's go to table 3, and we may be able to  
14 clarify this. During the course of the test were they assigning  
15 people different cigarettes, the results are displayed on the  
16 screen with respect to tar, milligrams per day. You'll see the  
17 low-yield cigarettes delivered an average daily amount of  
18 312 milligrams, and the medium-yield cigarettes delivered  
19 571 milligrams, correct, Doctor?

20 A. Just let me just spend a minute.

21 Q. Certainly.

22 A. This is -- I think again, I'm trying to reconstruct this  
23 relatively quickly here, but I think these are the author's  
24 estimates of how much tar in milligrams per day. And I think  
25 this is --

1 Q. Doctor, let me draw your attention to the tar category in  
2 the middle line. It says "measured" -- do you that?  
3 A. Yes, I do.  
4 Q. That's contrasted with the top line that says "rating",  
5 so that the measured amount is reported?  
6 A. Right.  
7 Q. Let me direct your attention to the line dealing with  
8 nicotine, again the middle line. 27.3 milligrams daily for the  
9 low-yield cigarettes, 42.6 for the medium-yield cigarettes; is  
10 that correct?  
11 A. Yes. Let me have another minute, please.  
12 Q. Certainly.  
13 THE COURT: Now, is this chart from the National Cancer  
14 Journal? Is this what you started out referring to?  
15 MR. McDERMOTT: Yes, ma'am.  
16 THE COURT: Okay.  
17 BY MR. McDERMOTT:  
18 Q. While the Doctor is reading, for the record, it's volume  
19 92, number 2, January 19th, 2000, Journal of the National Cancer  
20 Institute.  
21 A. Okay.  
22 Q. All right. Let me direct your attention down to the  
23 bottom now, carbon monoxide, again same pattern, measured  
24 amounts, 242 milligrams per day for the low tar versus 483 for  
25 the medium tar, correct?

1 A. Yes, I see those numbers.

2 Q. All right. Let's go to the next page, table 4. There's  
3 also a report on some of the carcinogens with respect to  
4 benzopyrene. You'll see he observed delivery, 17.9 anigrams in  
5 the low tar yield, low-yield category versus 21.4 for the  
6 medium-yield, and again, with NNK, 186.5 nanograms per cigarette  
7 on a daily basis for the low-yield category versus 250.9 for the  
8 medium-yield category, correct?

9 A. Correct.

10 Q. Let's go back to the first page of that article to the  
11 abstract.

12 In fact, the authors report, do they not, that smokers  
13 of --

14 MR. McDERMOTT: Can we call that up? It's right -- let me  
15 see, right here. Can you highlight that?

16 BY MR. McDERMOTT:

17 Q. "Smokers of medium-yield cigarettes compared with smokers  
18 of low-yield cigarettes received higher doses of all  
19 components."

20 So this gives us a lot of information suggesting that on  
21 average smokers of medium-yield cigarettes do get more than  
22 smokers of low-yield cigarettes by comparison, receive less of  
23 important constituents and components of smoke; isn't that  
24 right, Doctor?

25 A. This is one conclusion of the authors, the others being,

1 of course, that the reductions were not proportional to the  
2 machine measured yields.

3 Q. I understand that, but still statistically significant,  
4 and quite conceivably biologically significant, correct?

5 A. Well, biological significance I can't comment on it.

6 Q. All right. Let's move on.

7 You also discussed a study by Coultas and colleagues from  
8 1988, correct?

9 A. That's correct.

10 Q. I'm going to ask my assistant to hand you a package of  
11 articles from 1988. We could not find the reference that you  
12 apparently had in mind, and maybe you can find it in those  
13 articles.

14 You say you discussed this at your transcript 147, line  
15 22, and over on to the next page, and you cited for the  
16 proposition, and I quote, "After taking account of numbers of  
17 cigarettes smoked, the levels of biomarkers were not associated  
18 with the yield of tar and nicotine of the current brand that was  
19 being smoked." That's what you cite in this article?

20 A. That's correct, and to help, there should be an article  
21 in the American Review of Respiratory Disease.

22 Q. Well, there are a bunch of articles. One, two, three,  
23 four, five articles in that journal that were authored by  
24 Dr. Coultas that year, and we have got those for you, and we  
25 couldn't find the reference. And I don't want to make a mystery

1 of this Doctor, I think I found what you are referring to, but I  
2 want to give you an opportunity to --

3 MS. EUBANKS: Your Honor --

4 BY MR. McDERMOTT:

5 Q. -- to set this straight, if you would like.

6 MS. EUBANKS: Your Honor, if Mr. McDermott has the  
7 material that he thinks is being referenced, it seems to me it  
8 would expedite matters if he would show that to the witness  
9 instead of a stack of articles for the witness to go through.

10 BY MR. McDERMOTT:

11 Q. I just don't want to misconstrue your testimony, Doctor.  
12 I think what you're referring to is what I'll put up on the  
13 screen now, JD 060632, an article published by Coultas in 1993  
14 entitled Cigarette Yields Of Tar And Nicotine And Markers Of  
15 Exposure To Tobacco Smoke published in the American Review of  
16 Respiratory Diseases volume 148 in 1993. I think this is the  
17 article that you were referring to but I may be wrong.

18 A. It is, and there must have been a misstated reference  
19 there.

20 Q. Okay. Well, so, this is the article that you meant to  
21 refer to. And you're listed as a coauthor, correct?

22 MS. EUBANKS: Before we go forward, could I have a copy,  
23 too, please?

24 MR. McDERMOTT: I'm sorry, I thought you -- Coultas --  
25 let's turn to page 439 to table 3.



1 BY MR. McDERMOTT:  
2 Q. And let me just start with a general question. It's  
3 true, Dr. Samet, that salivary cotinine is a biomarker for  
4 nicotine, correct?  
5 A. That's correct.  
6 Q. Okay. And isn't it true that these data show that both  
7 FTC tar and FTC nicotine are statistically significant  
8 predictors of salivary cotinine?  
9 A. I think my comment about variability was in reference to  
10 the r-squared values at the bottom, which is the measure of  
11 variability.  
12 Q. Okay.  
13 A. And again, the -- if there is a perfect explanation value  
14 that is 100, the comment had to do with the degree to which the  
15 r-squared value increased with consideration of either the FTC  
16 tar or FTC nicotine yield in these statistical models.  
17 Q. Okay. Let me see if I can get you to answer my question,  
18 because I'm not quite sure you did.  
19 Isn't it true that these data show that both the FTC tar  
20 yield and the FTC nicotine yield are statistically significant  
21 predictors of salivary cotinine?  
22 A. That's what's shown here. And my comment, again, was to  
23 the variability which is the r-square at the bottom, value at  
24 the bottom.  
25 Q. All right. Now, one thing I noticed, you ran an analysis

1 for tar separately and you ran an analysis for nicotine  
2 separately. Why did you run those analyses separately?

3 A. Because these were quite correlated, they would not be  
4 appropriate statistically to put them both in the same  
5 statistical equation or model.

6 Q. Okay. So you shouldn't run them both together, in a  
7 statistical sense it would be a mistake to do that; is that  
8 right?

9 A. It might have been -- I can't remember the exact  
10 correlation here, but that's the reason that this was done.

11 Q. Okay. Because they're highly correlated?

12 A. That's correct.

13 Q. What would you expect to happen if you had run the  
14 analysis and put those two together?

15 MS. EUBANKS: Objection, Your Honor.

16 BY MR. McDERMOTT:

17 Q. Or can you say?

18 MS. EUBANKS: That -- I know this is an expert witness,  
19 but that calls for complete speculation that he's not really  
20 competent to answer. If he had put those thing together and then  
21 run an analysis statistically what he would have found.

22 MR. McDERMOTT: Your Honor, I'm not sure he can't -- I may  
23 he may know the answer, he may not.

24 THE COURT: If the witness knows it he may answer it. The  
25 objection is overruled.

1           THE WITNESS: I'll just say if one puts highly correlated  
2 data into models they become very difficult to interpret.

3 BY MR. McDERMOTT:

4 Q.       Unstable or not reliable?

5 A.       Well, the correlations are high, "unstable" is the wrong  
6 word. They just become difficult to interpret depending on  
7 patterns in the data.

8 Q.       All right. Thank you. Let me move on to another issue.  
9 Vent blocking.

10           You referred at page 148 of your transcript to the  
11 phenomena of vent blocking smokers blocking the holes in filter  
12 cigarettes, and you note -- you observe there that these holes  
13 are, "generally covered by smokers". Do you recall that  
14 testimony?

15 A.       Yes, I do.

16 Q.       Okay. Actually, Dr. Samet, that's only true for smokers  
17 of the very lowest tar category cigarettes, isn't it, with  
18 yields in the range of 1 milligram of tar?

19 A.       I'm not sure I can answer that question. I don't have a  
20 specific study in mind.

21           MR. McDERMOTT: Let's see US Exhibit 58770. Can you show  
22 page 28?

23 BY MR. McDERMOTT:

24 Q.       Let me direct your attention to the bottom of the page.  
25 "Reviewing the literature" -- excuse me -- "vent blocking

1 appears to be a significant mode of compensation for reduced  
2 yield among smokers of lowest tar cigarettes, e.g. 1 milligram  
3 FTC tar, but not likely among most smokers of light and ultra  
4 light cigarette brands."

5 So, according to Monograph 28, vent blocking is not  
6 likely for most smokers of filter tip cigarettes, correct?

7 A. That's the statement here. I haven't seen table 2.3 to  
8 look at the basis.

9 MS. EUBANKS: Excuse me, but you said "Monograph 28", I  
10 just want to make sure that's what you intended.

11 MR. McDERMOTT: Excuse me, Monograph 13. My mistake, my  
12 Freudian slip is showing once again.

13 BY MR. McDERMOTT:

14 Q. Doctor, you understand even though you're not an expert  
15 in the FTC method as such, that the FTC is and has been aware of  
16 the possibility of vent blocking for years, correct?

17 MS. EUBANKS: Objection, Your Honor. There's no evidence  
18 in the record to suggest that this witness would be competent to  
19 offer testimony about the FTC's belief or knowledge with respect  
20 to these matters.

21 THE COURT: Overruled. The witness may be able to answer.

22 THE WITNESS: I can't answer that question.

23 BY MR. McDERMOTT:

24 Q. You attended the proceedings that resulted in the  
25 issuance of monograph 7, correct?

- 1 A. I attended part of those proceedings.
- 2 Q. And you do not recall the issue of vent blocking being  
3 discussed during the course of those proceedings, you weren't  
4 there?
- 5 A. I was there briefly for that meeting, and frankly, I  
6 don't remember whether I was there for that piece of discussion  
7 or not.
- 8 Q. Okay. You simply don't know?
- 9 A. Whether I was there or --
- 10 Q. Whether it was discussed during those proceedings or not?
- 11 A. I'm aware that the meeting was on potential limitations  
12 of the FTC method, but I don't recall if I was there for that  
13 particular discussion or not.
- 14 Q. And you don't recall whether possible vent blocking was  
15 one of the issues, or one of the concerns, that gave rise to  
16 that notion of revisiting whether this was the right test?
- 17 A. Well this is -- certainly has been a control about the  
18 FTC method because the posts, the vent holes in the filter, of  
19 course, are not blocked by the machine.
- 20 Q. Okay. And despite this and other criticisms with respect  
21 to the FTC method, you are also aware that as of today the FTC  
22 has not chosen to change the method that it requires for  
23 cigarettes sold in the United States, correct?
- 24 A. I'm aware that the same methodology is used.
- 25 Q. Doctor, let me talk to you about some of the epidemiology

1 studies that relate to the relative risks of low tar cigarettes.  
2 But let me start by asking you whether you agree that  
3 epidemiologic research has had a central role in characterizing  
4 the consequences of the changing cigarette?  
5 A. Sounds suspiciously like something I wrote, so I think I  
6 will agree with it.  
7 Q. Correct. And that statement is as true today as when you  
8 wrote it in 1996, isn't it Dr. Samet?  
9 A. Well, it's as true today as then, yes.  
10 Q. Okay. And isn't it -- and isn't it also true that  
11 epidemiology supplies direct information on the consequences of  
12 varying tar and nicotine yield products?  
13 A. Also sounding familiar, and I will agree.  
14 Q. Okay. And you agree with that today, correct?  
15 A. Correct.  
16 Q. And isn't it also true that only epidemiologic studies  
17 can provide information on modification of the risks of smoking  
18 as the cigarette has evolved?  
19 A. I think you're reading from something I wrote from  
20 monograph 7.  
21 Q. That is correct, and you agree with that today?  
22 A. Yes.  
23 Q. And isn't it also true that only epidemiologic data can  
24 measure the risk of cigarettes under "natural" circumstances of  
25 use?

- 1 A. Correct.
- 2 Q. And that is true today?
- 3 A. Yes.
- 4 Q. Would you agree that the clear impression from the  
5 epidemiological studies taken as a whole is that there is a  
6 lower risk of lung cancer among populations of smokers who use  
7 lower yield products?
- 8 A. I think that's a question that has to be addressed in  
9 different contexts. I mean, unfortunately for this issue, we're  
10 always looking backwards, so if we have done an epidemiological  
11 study, we're looking at the consequences of cigarettes used in  
12 the past. There's evidence that shows that users of filter,  
13 compared to nonfilter, cigarettes had lower lung cancer risks.
- 14 Q. And isn't it fair to say that the clear impression from  
15 these studies, taken as a whole, is that there is a lower risk  
16 of lung cancer among populations of smokers who use lower yield  
17 products?
- 18 A. Again, I mean, this all has to be put within a time  
19 context, because today we only have information from the past,  
20 and in terms of risks of recently used products or products in  
21 use now we have to wait. These kinds of comments are made in  
22 reference to a body of epidemiological studies that looked at  
23 people who smoked during the '40s, '50s, '60s and moved from  
24 higher yield nonfilter products to filter products.
- 25 Q. I understand.

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1           MR. McDERMOTT: Let's see U.S. 587000. This is Monograph  
2 13. Can you go to page 81 at the bottom of the page.  
3 BY MR. McDERMOTT:  
4 Q.       Do you see that highlighted language? "The clear  
5 impression from these studies taken as a whole is that there is  
6 a lower risk of lung cancer among populations of smokers who use  
7 lower yield products."  
8           That's a fair assessment of --  
9 A.       And table one refers largely to these studies I  
10 mentioned, studies published in the '60s, '70s and '80s.  
11 Q.       Thank you. And it's also true, is it not, that studies  
12 published in the epidemiological literature supported difference  
13 in lung cancer and possibly heart disease risks between  
14 populations of individuals who smoke filtered or lower yield  
15 cigarettes?  
16 A.       I'm sorry, I'm not sure I understand the question.  
17 Q.       Okay. Let's go to page 108 in the middle of the page.  
18 "Studies published in the epidemiological literature support a  
19 difference in lung cancer and possibly heart disease risks, but  
20 not in chronic lung disease risks, between populations of  
21 individuals who smoke filtered or lower yield cigarettes  
22 compared to individuals who smoke unfiltered or higher yield  
23 cigarettes."  
24           That's the summary of the epidemiological evidence that  
25 appears in Monograph 13, is it not?



1 A. Apparently, and again, I think the lung cancer statement  
2 is consistent with the one that was just read. The heart  
3 disease information is somewhat mixed, and then no evidence of  
4 reduced -- correct me if I'm wrong -- lung disease risk is shown  
5 here.

6 Q. All right. And it's also true, is it not, Dr. Samet,  
7 that the epidemiological observation of lower risks with use of  
8 filtered and lower tar cigarettes has been reproduced in  
9 multiple populations and cannot be dismissed as an artifact of a  
10 single analysis or a single population?

11 A. Could I hear that one more time?

12 Q. Sure.

13 MR. McDERMOTT: Why don't we put up -- go to page 7,  
14 please.

15 THE COURT: Is this all from Monograph 13?

16 MR. McDERMOTT: Yes, Your Honor.

17 Middle of the page.

18 BY MR. McDERMOTT:

19 Q. "Epidemiological observation of lower risks with the use  
20 of filtered and lower tar cigarettes has been reproduced in  
21 multiple populations and cannot be dismissed as an artifact of a  
22 single analysis or a single population."

23 Do you agree with that.

24 A. Again I think this is in reference to the studies  
25 summarizing in 401, and there were multiple populations that

1    were studied, yes.

2    Q.       Okay. And I want to step back a little bit in time,

3    Dr. Samet, to 1996, when you were participating and then wrote

4    an article with respect to monograph 7.

5            You personally reviewed at that time the prospective and

6    retrospective of epidemiological studies concerning lung cancer

7    and lower tar for that proceeding, didn't you?

8    A.       I reviewed a number of studies that looked at lung cancer

9    risk in relationship to type of product smoked.

10   Q.       Okay. And you concluded, did you not, based on the CPS-I

11   data that, "For all causes of mortality, and for lung cancer

12   lung cancer mortality, the standardized mortality ratios

13   declined as estimated tar and nicotine intake declined."

14   Correct?

15   A.       That's a description of the results of --

16   Q.       Okay?

17   A.       -- CPS-I.

18   Q.       Okay and that represented a state-of-the-art

19   interpretation of those studies at that time period, didn't it

20   Dr. Samet?

21   A.       This is my own interpretation.

22   Q.       We'll credit you with that.

23            And you note in your publication, you actually quote the

24   1981 Surgeon General's Report.

25            MR. McDERMOTT: If we can put up monograph 7, which is J E

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1 045979, at page 86.

2 BY MR. McDERMOTT:

3 Q. "Today's filter tip lower tar nicotine cigarettes produce  
4 lower rates of lung cancer than do their higher tar and nicotine  
5 predecessors."

6 This is from the '81 Surgeon General's Report, correct,  
7 that you are citing and quoting in your article?

8 A. It's a direct quote.

9 Q. And then this is your language: "The more recent case  
10 control evidence remains consistent with the first component of  
11 this conclusion." That being the sentence that I just read,  
12 correct?

13 A. Yes, also I think in light of what I just said in both  
14 the Surgeon General and I were wrong, of course. Today filter  
15 tip cigarettes we have to wait a while, but I think that was in  
16 reference to perhaps -- again, as I said, we always have to look  
17 forward, but I think what they meant was today's in general  
18 here.

19 Q. I understand your point that the cigarettes that people  
20 are smoking today cannot be precisely assessed until many years  
21 down the road, but with respect to the state of existing  
22 scientific knowledge at that time period your view was that the  
23 case control evidence available was consistent with the  
24 conclusion that lower tar and nicotine cigarettes produced lower  
25 rates of lung cancer than do their higher tar and nicotine

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1 predecessors, correct?

2 A. I'm sorry, in the new studies, again, were primarily a  
3 comparison of filter to nonfilter users.

4 Q. All right. In 1999, indeed when this lawsuit -- about  
5 the time this lawsuit was filed, it was your opinion, was it  
6 not, that smokers of filter tip -- excuse me, of filter tip  
7 cigarettes had about a 20 percent lower risk of lung cancer than  
8 smokers with nonfilter cigarettes?

9 A. Well, that again is essentially the finding of the  
10 analysis of CPS-I data. In 1959, just to put it in context,  
11 that was 1959 to '72 the window covered by that study.

12 Q. We'll bring it up-to-date in a little while, Doctor, but  
13 it was your view in 1999 that there was about a 20 percent  
14 reduced risk of lung cancer for lower yield product smokers,  
15 correct?

16 A. Again, that was what was in the literature, correct.

17 Q. Now, in your direct testimony in this case, you have  
18 basically modified your review; is that right, on the issue we  
19 just discussed?

20 A. I'm sorry, I have not modified my view of what the  
21 evidence showed in CPS-I.

22 Q. Let me be more precise. You believe today that those  
23 results may not be truly representative and that they may  
24 present an incomplete picture of the risks, or the risk  
25 reduction that may attend smoking lower tar, lower nicotine

- 1 cigarettes, correct?
- 2 A. I'm not sure I phrased anything quite that way.
- 3 Q. All right. Is it your opinion that there is no benefit
- 4 to low-yield cigarettes?
- 5 A. Well, again, that's different from what the lung cancer
- 6 risk estimates showed 30 years ago. I don't -- I'm not sure
- 7 that providing an opinion on the overall benefits of lower yield
- 8 cigarettes conflicts with my earlier comments about the
- 9 literature.
- 10 Q. Let's be more precise, and let me talk about lung cancer
- 11 risk only.
- 12 Is it your opinion today that the smokers of low-yield
- 13 cigarettes do not receive any benefit, with respect to lung
- 14 cancer, by virtue of the fact they're smoking lower yield rather
- 15 than higher yield products?
- 16 A. Well, I think there's no evidence of clear benefit.
- 17 Q. Do smokers of lower yield products have a lower risk of
- 18 lung cancer, in your judgment today, than smokers of high yield
- 19 cigarettes?
- 20 A. Again, I can't speak to today, and I think the -- my
- 21 expert report covers other aspects of this problem. The
- 22 increasing relative risks of smoking comparing the two CPS-I and
- 23 2 studies, so there's other lines of evidence that have been
- 24 looked at for this question.
- 25 Q. Having examined those other lines of evidence, I'm just

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1     trying to get your opinion, Doctor.

2             Do you believe, yes or no, that smokers of lower yield  
3     cigarettes have a lower risk of lung cancer than smokers of high  
4     yield cigarettes?

5     A.       Again, I'm just going to comment that I find it very hard  
6     to speak to what today's products will produce in the future.

7     Q.       Your testimony is you don't know?

8     A.       I can't predict the future.

9     Q.       What is the existing state of evidence on that question,  
10    Doctor, with respect to the people who were smoking these  
11    cigarettes 10, 20 or 30 years ago? What is the existing state  
12    of the evidence?

13    A.       I think the state of the evidence has been well  
14    summarized in the reports of the Surgeon General, IARC, the  
15    Institute of Medicine, each group that's looked at the question  
16    of whether today's lower yield cigarettes are likely to  
17    produce -- are likely to produce lower risk of lung cancer, has  
18    said, you know, no clear benefit. They've looked to the past  
19    evidence, IARC commented on the relationship between machine  
20    measured yields and what gets into people. This is a tough area  
21    for scientific inquiry.

22    Q.       I understand that, Doctor, and I'm not sure I caught all  
23    of your answer. So let me try to be precise.

24             In 1999, you testified, or you said in an expert report,  
25    that the smokers of lower yield cigarettes, on average, had a

1 20 percent decrease in the risk of lung cancer compared to the  
2 smokers of high yield or nonfilter cigarettes, correct?

3 A. And again, I've said very clearly what time window of  
4 evidence that was in reference to. It was in reference to these  
5 past studies, and that's approximately the risk reduction  
6 observed in the CPS-I and some other studies.

7 Q. Do you believe that's still true today, based on the  
8 evidence that we have today, that smokers of lower yield  
9 products have approximately 20 percent risk reduction with  
10 respect to lung cancer when compared to smokers of high yield or  
11 nonfilter cigarettes?

12 MS. EUBANKS: Objection, Your Honor. The witness has been  
13 asked this question several times and has answered it the same  
14 way each time.

15 THE COURT: Objection's overruled.

16 BY MR. McDERMOTT:

17 Q. Is that your opinion today, Doctor?

18 A. I don't, -- I don't have a reason to extend the risk  
19 reduction that was observed 30 plus years ago, 40 years ago, for  
20 the comparison of filter to nonfilter smokers to what will  
21 happen in the future when people consume today's products into  
22 the future. That's an unanswerable question with great  
23 certainty.

24 Q. Is the answer to my question no?

25 A. I'm sorry, which aspect of your question at this point?

- 1 Q. Do you believe there is a 20 percent risk -- today do you  
2 believe there is a 20 percent risk reduction for smokers of  
3 lower yield products with respect to lung cancer compared to the  
4 smokers of high yield products?
- 5 A. I believe that's been observed in the past, but I don't  
6 know if it will hold in the future.
- 7 Q. You don't know. You don't have an opinion?
- 8 A. I said I don't know if that will hold in the future.
- 9 Q. All right. Thank you.
- 10 Doctor, you don't consider yourself an expert on  
11 compensation as such, do you.
- 12 A. Not specifically, no.
- 13 Q. Okay. Dr. Benowitz is?
- 14 A. I believe so.
- 15 Q. He would know more about that subject than you do, you  
16 will concede?
- 17 A. He's likely to, yes.
- 18 Q. Okay. Nevertheless, based on your own personal review of  
19 the literature and your own thinking and experience in this  
20 field, you do not believe, do you, that smokers of lower yield  
21 products compensate completely when they switch from higher  
22 delivery cigarettes, do you?
- 23 MS. EUBANKS: Objection, Your Honor. Given the testimony  
24 from this witness, how can it be relevant what he believes when  
25 he's already testified that he's not an expert on the area of



1 compensation and that the expert is Dr. Benowitz, someone that  
2 the United States will bring forward to testify?

3 THE COURT: It doesn't mean he can't answer the question,  
4 and certainly it is a subject to which he has to have considered  
5 in the area that is his specialty. If he can't answer it, he'll  
6 tell us.

7 THE WITNESS: Well, I will say when I answer a question  
8 firmly I like to know I'm in command of the whole literature and  
9 on this topic I don't think I am, that is, the compensation  
10 topic.

11 BY MR. McDERMOTT:

12 Q. So you don't have an opinion as to whether compensation  
13 is 100 percent complete, you don't have an opinion one way or  
14 the other, is that correct?

15 A. Sitting here today I did not come here today prepared to  
16 answer that question.

17 Q. All right. In your direct testimony, Doctor, you talked  
18 about biomarkers, certain levels of biomarkers and how they do  
19 or don't correlate with FTC measurements, that's at transcript  
20 pages 147 to 148. I want to ask you about that.

21 You suggested in your testimony that a low correlation  
22 between a smokers biomarker levels and the FTC ratings was  
23 evidence, in your view, that smokers compensated for the reduced  
24 yield in their lower tar and nicotine products, correct?

25 A. Yes.

1 Q. All right. Doctor, if I tell you a correlation  
2 coefficient between FTC nicotine yield and a biomarker for  
3 nicotine is .57, and that's all you know, and that's the only  
4 information you know, can you tell me what the percent of  
5 compensation is?

6 A. That's not a -- an answerable question for me.

7 Q. That's not enough information to answer the question, is  
8 it?

9 MS. EUBANKS: Objection, Your Honor. That  
10 mischaracterizes, by way of the question, what the witness's  
11 answer was.

12 THE COURT: Objection's overruled. You may answer, if you  
13 can.

14 THE WITNESS: I simply can't answer the question as it's  
15 posed.

16 BY MR. McDERMOTT:

17 Q. That's not enough information, is it?

18 A. Well, I don't know what biomarker and I don't -- this is  
19 so hypothetical I can't answer it.

20 Q. Okay. Correlation coefficients and the presence or  
21 absence of compensation, they're not the same things, are they,  
22 Doctor?

23 A. Correlation is a statistical measure. Compensation is a  
24 physiological phenomena.

25 Q. Okay. It is possible, is it not, Dr. Samet, to calculate

1 the level of compensation from some of the biomarker studies in  
2 Monograph 13? Isn't there -- there is a formula for doing that,  
3 correct?

4 MS. EUBANKS: Objection, Your Honor. Again, we're going  
5 into an area that the witness has expressly stated that he's not  
6 competent to testify about.

7 THE COURT: Objection's overruled. If you can answer it,  
8 you may.

9 THE WITNESS: Again, I will say that I've not come here  
10 today prepared to discuss compensation.

11 BY MR. McDERMOTT:

12 Q. So, of the biomarker studies that are reported in  
13 Monograph 13, and they are set forth for the record at table  
14 3.1, you don't know what the level of compensation for any of  
15 those studies is, correct?

16 A. Well, if I could see table 3.1.

17 Q. Okay. Sure. Let's look at U.S. 58700, table 3.1. It's  
18 on page 51 and 52, I believe.

19 These studies set forth, do they not, Dr. Samet, the  
20 results of some studies that report levels of biomarkers when  
21 comparing actual smoking versus machine yield?

22 A. Well, this is a series of studies, not of biomarkers  
23 generally, but of nicotine and -- possibly the blood  
24 specifically.

25 Q. The right-hand column?

1 MS. EUBANKS: Excuse me, could the witness be allowed to  
2 finish his answer please?

3 MR. McDERMOTT: I'm sorry. I thought he had.

4 BY MR. McDERMOTT:

5 Q. Sorry Doctor, I did not mean to cut you off.

6 A. Again, it seems to be a set of correlations of nicotine  
7 yields from different sources versus levels of various measures,  
8 whether it appears to be measures of nicotine or codeine  
9 concentration.

10 Q. Okay. They're comparing the yield of nicotine by the FTC  
11 method and then looking at biomarkers and seeing how much the  
12 smokers actually receive with some recognized biomarkers for  
13 nicotine intake, correct?

14 A. That appears to be the case, yes.

15 Q. Okay. Nowhere in this table is there any information on  
16 the level of compensation that may have been found in any of  
17 these studies, correct? That information is not reported?

18 A. Well, this is a comparison, or set of correlations,  
19 coefficients is what is given, apparently of the two  
20 measurements, nicotine field verse the machine yield versus what  
21 was measured in people.

22 Q. Okay. To your knowledge, Doctor, do you know whether a  
23 percent of compensation, if any, was computed with respect to  
24 these studies in connection with the preparation Monograph 13?

25 A. If it was, it's not shown in this table.

Scott L. Wallace, RDR, CRR  
Official Court Reporter

- 1 Q. All right. And do you know of any place else in the  
2 volume where it's reported?
- 3 A. I can't say that I've looked for that.
- 4 Q. And do you recall whether or not, based on your personal  
5 contact with the people in the development and evolution of this  
6 volume, whether that was done and not reported, whether somebody  
7 did it and just didn't bother to put it down?
- 8 A. I never made any such inquiry.
- 9 Q. Okay. Dr. Samet, I want to return to one of the points  
10 you made in monograph 7 that epidemiology addresses the risk of  
11 cigarettes under natural circumstances of use. Talking about,  
12 for the moment, within cigarette compensation as opposed to  
13 numbers of cigarettes per day, I want to put that aside for the  
14 moment -- with respect to within cigarette compensation, the  
15 epidemiology studies do take account of whether individuals  
16 smoking lower tar cigarettes smoke more intensively, do they  
17 not?
- 18 A. Well, they observe the risks as people are actually  
19 smoking the cigarettes.
- 20 Q. And that can include more puffs per cigarette, or deeper  
21 puffs, or more frequent puffs, that kind of compensatory smoking  
22 behavior, correct?
- 23 A. That's correct.
- 24 Q. And would you agree with Dr. Burns that the majority of  
25 compensation which has been found, is this within cigarette

1 compensation, with a smoker changing the way he smokes a  
2 cigarette, as opposed to increasing the number of cigarettes per  
3 day?

4 MS. EUBANKS: Objection again, Your Honor. This witness  
5 is not brought forward as an expert on compensation and these  
6 continual questions about that really are a waste of the Court's  
7 time to get his opinion. We'll have witnesses to testify about  
8 these matters.

9 THE COURT: I'm going to sustain the objection at this  
10 point.

11 MR. McDERMOTT: Let's approach it this way. Can I see  
12 Dr. Samet's direct testimony in this case, starting at page 164,  
13 line 15?

14 BY MR. McDERMOTT:

15 Q. Dr. Samet, take a moment and -- go over to the next page,  
16 please. See your answer?

17 Starting again, "The Surgeon General's Report did not  
18 fully take into consideration the phenomena of compensation, and  
19 how smokers smoke to get a certain amount of nicotine and will  
20 even adjust their smoking behavior to get the amount of nicotine  
21 they seek to be accustomed too. As I stated before, we now know  
22 that smokers do this through adjusting their pattern of smoking  
23 to smoke more cigarettes inhale deeper, and take more puffs."

24 Did I read your testimony correctly, Doctor?

25 A. Yes, you did.

1 Q. All right. Is it your opinion that smokers compensate  
2 both by changing the way they puff individual cigarettes, and by  
3 smoking more cigarettes, when they switch from higher delivery  
4 products to lower delivery products?

5 A. That appears to be the case, yes.

6 Q. It appears to be the case? Is that --

7 A. Yes.

8 Q. -- your opinion or isn't it?

9 A. Yes.

10 Q. Okay. Now, and again, you said that epidemiological  
11 studies inherently take account of the within cigarette  
12 compensation, smoking more intensively, taking more puffs per  
13 cigarette and the like, correct?

14 A. Yes, I did.

15 Q. Okay. And now I want to focus on cigarettes per day.  
16 Excuse me, before I change I want to go back to the question I  
17 asked before.

18 Do you agree with Dr. Burns that the majority of  
19 compensation occurs from this intensive smoking per cigarette  
20 rather than from increasing the number of cigarettes per day?

21 MS. EUBANKS: That objection was sustained and I'd like to  
22 add, in addition to that, we don't even have an establishment of  
23 any foundation that he knows what Dr. Burns has said. Dr. Burns  
24 is an expert who is scheduled to testify in this case. And I  
25 think if this witness is going to be asked about the opinions of

1 Dr. Burns, in fairness, he should be shown those so he can  
2 comment on them in a competent fashion.

3 MR. McDERMOTT: I'm happy to do that, Your Honor, but  
4 these gentlemen were coauthors of a chapter of Monograph 13 that  
5 dealt with this very subject, but I'm happy to show Dr. Burns'  
6 testimony if the Court or the witness would like.

7 THE COURT: Do you need to see the testimony, or do you  
8 remember it since you co-wrote it?

9 THE WITNESS: If I can make the comment the chapter I  
10 contributed to is the epidemiological chapter, not the chapter on  
11 compensation.

12 BY MR. McDERMOTT:

13 Q. Your chapter did discuss compensation on cigarettes per  
14 day, did it not doctor?

15 A. It included analysis of some data, but again, it was a  
16 chapter on epidemiology that I contributed.

17 MS. EUBANKS: Your Honor, we're going to have Dr. Burns  
18 here and --

19 THE COURT: I understands that, but they want to question  
20 this witness to see if they can get certain answers.

21 Dr. Samet, are you able to answer the previous question  
22 that was asked of you?

23 THE WITNESS: I'm sorry, which previous, Your Honor?

24 THE COURT: Back about one question. I know which one  
25 you're referring to.



1 THE WITNESS: All right.

2 BY MR. McDERMOTT:

3 Q. Do you agree with Dr. Burns that the majority of the  
4 compensation occurs with compensatory smoking within cigarettes  
5 rather than through smoking more cigarettes per day when  
6 somebody switches from a higher yield product to a lower yield  
7 product?

8 A. Again, I will say that I did not come here today prepared  
9 to discuss compensation, and I don't have, really, a strong  
10 opinion one way or the other as to what Dr. Burns has proffered  
11 and I haven't seen that statement, in any case, from Dr. Burns.

12 Q. And you do not personally have an opinion on the issue of  
13 where the majority of compensation takes place or whether it's  
14 within cigarettes or cigarettes per day?

15 MS. EUBANKS: Your Honor, his personal opinion would have  
16 no relevancy here. He's here to testify as an expert, and what  
17 his personal opinion is, if it's not premised upon his studies or  
18 his expertise has no relevance to this Court and doesn't assist  
19 the Court in the decision.

20 THE COURT: Mr. McDermott?

21 MR. McDERMOTT: I'm not asking for personal views, I'm  
22 asking for his professional opinion, whether he has one on this  
23 issue, that's all, Your Honor.

24 THE COURT: And you may answer if you do or if you do not.

25 THE WITNESS: I think I said I really do not sitting here

1 today.

2 BY MR. McDERMOTT:

3 Q. Okay. Well, should the Court and the parties ignore the  
4 testimony that you provided in your direct on compensation  
5 because that's just not an issue that you're really prepared to  
6 talk about?

7 A. I did not come here today prepared to talk about  
8 compensation. I've said that very clearly.

9 Q. All right. One possibility with respect to compensation,  
10 which you addressed on page 164 of your testimony, and we saw it  
11 earlier, is that when smokers switch from higher yield to lower  
12 yield products, they may increase the number of cigarettes they  
13 smoke per day, correct?

14 A. That's correct.

15 Q. And that's a theory, or a hypothesis, that was considered  
16 in Monograph 13, correct?

17 A. It was addressed in Monograph 13, correct.

18 Q. Okay. In your direct testimony at page 167, lines 9 and  
19 10, you say, "Smokers of lower yield products tend to smoke more  
20 cigarettes per day than smokers of higher yield products." Do  
21 you see that? And if you want to see more text, you're welcome.

22 A. I see that, yes.

23 Q. All right. You don't cite anything in this portion of  
24 your testimony in support of that proposition. Is it the  
25 Monograph 13 analysis that you principally rely on to support

1 this?

2 A. Monograph 13 would be one source of data. There are  
3 other reports that have been published on this phenomena.

4 Q. Okay.

5 MR. McDERMOTT: Let's look at Monograph 13, page 81,  
6 please. U.S. 58700.

7 BY MR. McDERMOTT:

8 Q. "However, control for intensity of smoking across  
9 populations using number of cigarettes smoked per day as the  
10 measure of dose may result in a model mis specification if  
11 smokers who switched to low-yield cigarettes compensate by  
12 increasing the number of cigarettes that they smoke per day."

13 Now, as that's phrased, it says "may result", that's just  
14 a hypothesis, correct?

15 A. It's a description of what such a model would produce  
16 under the circumstances that smokers of lower yield cigarettes  
17 have increased numbers of cigarettes smoked.

18 Q. Okay. And the concern is that, if I understand the  
19 thrust of what you're trying to say, if a smoker of a higher  
20 yield product switches to a lower yield product, he or she may  
21 end up smoking more cigarettes, and so, if you do an  
22 epidemiological study that simply controls for number of  
23 cigarettes, you may not line up right, that the lower yield, you  
24 may have a false or an illusory reduction in risk because you're  
25 not comparing apples to apples, right, in your theory?

1 A. You would be not using the -- you're making -- you're  
2 making the smoker the of the lower yield products appear to be  
3 smoking the same as they smoked under the higher yield  
4 circumstances.

5 Q. Okay. The possibility that smokers who switch to lower  
6 yield products might end up smoking more cigarettes per day is  
7 not a new theory, is it, Dr. Samet?

8 A. Well, "theory", I would probably not use that word, but  
9 it's certainly not a new hypothesis, no.

10 Q. Okay. And in fact, this has been examined empirically in  
11 the scientific literature prior to Monograph 13; isn't that  
12 correct, Doctor?

13 A. There are studies in this regard.

14 Q. All right.

15 MR. McDERMOTT: Let's look at NCI monograph 28. I final  
16 got to the right number. JD 063324.

17 BY MR. McDERMOTT:

18 Q. And that monograph is entitled Toward a Less Hazardous  
19 Cigarette, published in June of 1968, and I want to --

20 MS. EUBANKS: You misread that.

21 MR. McDERMOTT: I'm sorry.

22 MS. EUBANKS: "Less harmful".

23 MR. McDERMOTT: I have a typo here, I apologize.

24 BY MR. McDERMOTT:

25 Q. Toward a less harmful cigarette.

1           Direct your attention to an article entitled Relationship,  
2 -- or a portion of that article entitled Relationship to Number  
3 of Cigarettes Smoked to Tar Rating by Selwyn Waingrow and Daniel  
4 Horn, and in particular, let me direct your attention to page 30.

5           Okay. About mid page the authors note, "There's little  
6 relationship, however, between the tar rating score and the  
7 number of cigarettes smoked." Do you see that?

8       A.     I see that.

9       Q.     Okay. And then they at the bottom of the page, they say,  
10 "In examining the relationship between a change in the tar  
11 rating score and any change in the number of cigarettes smoked,  
12 no statistically significant relationship was found."

13           That finding is inconsistent, is it not, with the  
14 hypothesis that was advanced in Monograph 13?

15       A.     The words as stated are inconsistent. I haven't seen the  
16 evidence for the analysis, so it's hard for me to comment in  
17 further detail, but that's what the words say.

18       Q.     Well, let's look at a -- let's -- of particular interest,  
19 where is that quote? It's about -- it's I think at the bottom  
20 of the page. Here we go. "Of particular interest is the  
21 observation that those who were categorized as having shown a  
22 reduction in their tar rating score, exactly the same number,  
23 27.3 percent, were classified as smoking more cigarettes as were  
24 classified as smoking fewer cigarettes than previously with  
25 45.3 percent showing no change."

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1           That observation is inconsistent with your testimony that  
2 smokers of lower tar cigarettes tend to smoke more cigarettes per  
3 day than smokers with higher yield cigarettes, isn't it, Doctor?

4 A.       Again, I can't comment in great detail because I don't  
5 know what population, what study, or what methods. I only see  
6 the conclusions of the authors.

7 Q.       And the conclusion is not consistent with your direct  
8 testimony, correct?

9 A.       As stated, I've seen none of the evidence supporting the  
10 conclusion.

11 Q.       Let's go to JD 000659. And this is the Banbury Report,  
12 which is a volume of papers that were produced. This was  
13 published in 1980, correct? You're generally familiar with it?

14 A.       Yes, I am.

15 Q.       Okay. Let me turn to a -- the paper by Garfinkel. Let's  
16 go to page 19.

17           Okay. Dr. Garfinkel worked for the American Cancer  
18 Society, correct?

19 A.       Yes, he did.

20 Q.       Okay. And he examined in this paper, did he not, the  
21 relationship between cigarettes per day and tar and nicotine  
22 yields among individuals who switched to CPS-I?

23 A.       Well, that's not apparent from what is here. I suspect  
24 this was a paper related to CPS-I smokers, yes.

25 Q.       Okay. Let's go to page 24, focus on the sentence under

1 the chart, please.

2 Starting at the beginning of that sentence, "Our data  
3 demonstrate that although nicotine dependence may have some  
4 effect in the short run, over a long period of time people tend  
5 to smoke the same number of cigarettes a day regardless of tar  
6 and nicotine level." Do you see that?

7 A. I do, and again, I think some of these same data were  
8 reanalyzed in Monograph 13.

9 Q. All right. As reported here by one of the chief health  
10 officials of the American Cancer Society, "Cigarettes per day do  
11 not increase as you reduce the yield of cigarettes" and this is  
12 inconsistent with your opinion that smokers who switch tend to  
13 smoke more cigarettes, correct?

14 A. Again, this is Dr. Garfinkel's statements about his --  
15 the evidence shown here.

16 Q. Okay. And it's inconsistent with your opinion, correct?

17 A. It's inconsistent -- as stated, again, I have not seen  
18 this in evidence here, but his conclusion suggests that there  
19 was not a change.

20 Q. Okay. And the 1989 Surgeon General's Report also  
21 concluded that smokers' daily consumption of cigarettes did not  
22 change from 1974 to 1983, even though the sales weighted average  
23 of tar and nicotine yields declined, correct? Let me show you  
24 JE 063621, page 293, bottom of the page.

25 "Because sales weighted average nicotine yields declined

1 from 1974 to 1983, one might expect to have observed an increase  
2 in average daily cigarette consumption. Compensatory changes in  
3 smoking behavior to maintain relatively constant nicotine intake  
4 have been shown to occur when smokers switch from high-yield to  
5 low-yield cigarettes. Although daily cigarette consumption did  
6 not increase from 1974 to 1985, other compensatory changes may  
7 have occurred, e.g., increased frequency, puffing or depth of --  
8 frequency of puffing or depth of inhalation as the smoking  
9 population moved toward lower yield cigarettes."

10 So the Surgeon General was saying in his report in 1989  
11 that the compensation is within cigarette if it occurs, not  
12 increasing the number of cigarettes per day, correct?

13 A. I think the statement says that across that time window  
14 as estimated, however they did it, there was not a change in  
15 daily cigarette consumption with whatever changes there was in  
16 sales weighted tar and nicotine level across the 11-year  
17 interval.

18 Q. Okay. And in any case, Doctor, this is not consistent  
19 with your testimony that smokers of lower tar cigarettes tend to  
20 smoke more cigarettes per day than smokers with higher yield  
21 cigarettes, is it?

22 A. Well, this particular evidence would conflict with that  
23 statement, correct.

24 Q. Okay. And you explore this issue in your 1993 article  
25 with Dr. Coultas, didn't you?



1           MR. McDERMOTT: Let's see JD 063062.

2 BY MR. McDERMOTT:

3 Q.       Are you familiar with the study?

4 A.       Yes.

5 Q.       Okay.

6           MR. McDERMOTT: Let's see page 437.

7 BY MR. McDERMOTT:

8 Q.       You report the results of your study and you notice

9 relationships, a number of relationships. I can read the whole

10 quote, but what I want to direct your attention to is the bottom

11 line: "FTC tar and nicotine yields were not significant."

12           You were studying, were you not, the behavior of smokers

13 switching from -- high tar smokers versus low tar smokers, and

14 tar and nicotine yields were not significantly correlated with

15 cigarettes per day, were they?

16 A.       This was not a study of switchers, this was simply a

17 cross sectional survey at this point.

18 Q.       Okay. But the number of cigarette -- tar and nicotine

19 yields were not a significant predictor -- I'm sorry, I've got

20 that back -- you did not find a statistically significant

21 relationship between FTC yields and cigarettes per day, did you

22 doctor?

23 A.       Not in this particular population.

24 Q.       Okay. So this study is not consistent with your opinion

25 in this case that smokers of lower tar cigarettes tend to smoke

1 more cigarettes per day than smokers with higher yield  
2 cigarettes, is it?

3 A. This particular piece of evidence is not.

4 Q. Okay. And it's a piece of evidence that you personally  
5 participated in generating, correct?

6 A. I was involved in the study.

7 Q. All right.

8 MR. McDERMOTT: Let's look at figure 4.7 of Monograph 13.

9 THE COURT: I think, actually, we'll take a break at this  
10 point. Let me just get down something.

11 Okay, let's take a 15-minute break, everybody.

12 (Thereupon, a break was had from 3:23 p.m. to 3:40  
13 p.m.)

14 MR. McDERMOTT: May I proceed, Your Honor?

15 THE COURT: Yes, please.

16 BY MR. McDERMOTT:

17 Q. Dr. Samet, I want to go back and just clear up one thing  
18 that I'm not sure we got quite straight. I'm putting on the  
19 ELMO the Garfinkel study that we -- which you saw earlier and I  
20 want to direct your attention to the reference here, the  
21 prospective study we analyzed. And then let me show you the  
22 next page where they describe the study population.

23 As you can see here, Doctor, there's a reference to  
24 cigarettes smoked in '59 and in 1972 follow-up. Does that  
25 refresh your recollection that this is dealing with the ACS-I or

1 CPS-I population?

2 A. Oh, I understand that, yes.

3 Q. Okay. Let me move on, then, Doctor, to Monograph 13.

4 And I want to look at -- that's U.S. 58700. And I'd like to  
5 look at Figure 47-7, which appears at page 115.

6 Now, Doctor, this is one of the previously unpublished  
7 statistical analyses that's presented in Chapter 4 of Monograph  
8 13, isn't it?

9 A. It's presented in that monograph, yes, and I believe it  
10 was not previously published.

11 Q. To your knowledge it was not?

12 A. To my knowledge, no.

13 Q. All right. And this, if I understand it correctly,  
14 purports to analyze whether people who switch brands -- change  
15 brands from higher tar to lower tar or when they switch brands  
16 at all change the cigarettes they smoke per day, correct?

17 A. This is an analysis directed at whether there was a  
18 change in the number of cigarettes smoked in relationship to the  
19 change in nicotine level as going from the present -- the former  
20 brand to the present brand.

21 Q. Okay. And one of the things that this figure is trying  
22 to address, if I understand it, is whether or not when people  
23 switch, when smokers switch from a higher yield product to a  
24 lower yield product, they tend to smoke more cigarettes per day,  
25 correct?

- 1 A. Yes. Actually, I think it's sort of bi-directional, it's  
2 just which way --
- 3 Q. True.
- 4 A. -- whether it went up or down. My comment was this was  
5 bi-directional; it was whether there was a change in cigarettes  
6 smoked per day in relationship to either an increase or decrease  
7 in the nicotine yield.
- 8 Q. Okay. Now, as you see down at the bottom, Doctor, where  
9 it says "Source," this is dealing with the CPS-I population,  
10 correct?
- 11 A. That's correct.
- 12 Q. So the population being examined here is the same  
13 population that Dr. Garfinkel examined and published on in the  
14 Banbury report, correct?
- 15 A. The overall study was the same. I don't know whether  
16 exactly the same people are in the two sets of analyses.
- 17 Q. It's pretty clear, though, that the results of those  
18 analyses are completely different, correct? Dr. Garfinkel, as  
19 we saw just a moment ago, said, and I quote: "Over a long  
20 period of time, people tend to smoke the same number of  
21 cigarettes per day regardless of tar and nicotine level."  
22 Right? That's what he said?
- 23 A. That's correct.
- 24 Q. Okay. This suggests that as people move up and down the  
25 scale of tar and nicotine deliveries, they smoke different

1 numbers of cigarettes. That's the thrust of this graph,  
2 correct?

3 A. I think the thrust of it is the line that's shown there  
4 that would describe that relationship, correct.

5 Q. Okay. And the negative slope, the fact that it's sloping  
6 downward as it goes from left to right, suggests that as people  
7 decrease the measured nicotine yield of their cigarettes, they  
8 tend to smoke more cigarettes, correct? That's the thrust of  
9 this analysis?

10 A. Well, if they decrease, the line goes up. That's right.  
11 If they increased, they smoked less.

12 Q. Okay. If the slope had been positive, if it had gone up  
13 from left to right, that would mean as people increased the  
14 nicotine yield -- it would mean just the opposite, right? As  
15 people lowered their cigarette yield, they would reduce the  
16 number of cigarettes, correct? That's what the opposite slope  
17 would mean?

18 A. That's correct. For the negative, minus, I think  
19 probably what's important is the minus 2.31 up there that  
20 describes the slope of that line and it says that as nicotine  
21 goes up by a milligram, on average, the number of cigarettes  
22 smoked goes down by roughly two.

23 Q. Okay. And if this line had been level, just flat dead  
24 level, that would mean that there is no change in the number of  
25 cigarettes per day smoked regardless of whether you moved up or

1 down the tar and nicotine -- the nicotine yield line, correct?

2 A. Correct.

3 Q. Okay. You can't tell us, based on -- you did not, I  
4 gather, Doctor, participate in the underlying analysis,  
5 underlying data -- number crunching that produced this chart; is  
6 that correct?

7 A. Myself personally, no.

8 Q. Okay. And you don't know, based on your lack of  
9 familiarity with the underlying work, whether this analysis of  
10 the CPS-I data or Dr. Garfinkel's analysis of the CPS-I data got  
11 it right? You can't of your own personal knowledge say which  
12 was the better analytically, which was the more accurate, which  
13 is the one that should be relied on; isn't that true?

14 A. They're very different. I mean, Dr. Garfinkel's analysis  
15 grouped things and said: Did the amount go up or down of  
16 cigarettes smoked or did it say the same?

17 This analysis, I think, deals more with the quantitative  
18 relationships. So Dr. Garfinkel's table had this qualitative  
19 expression: Did the number of cigarettes increase or decrease?

20 Here there's an attempt to describe that quantitatively,  
21 so I think they're somewhat different approaches.

22 Q. But you haven't basically gone behind the Court, either  
23 with respect to Dr. Garfinkel's analysis or with respect to this  
24 analysis, and looked hard at the numbers and the analytical  
25 approach taken; isn't that correct?

1 A. I haven't looked at the source data or the analysis, no.

2 Q. Do you know whether or not defense experts have look at  
3 the source data and looked at the way in which this figure was  
4 constructed and the underlying data that went into this figure?

5 A. No, I have no knowledge.

6 Q. Okay. So you have not been shown Dr. Wecker's analysis  
7 or reanalysis of this data?

8 A. No, I have not.

9 Q. Okay.

10 THE COURT: I don't really understand the distinction that  
11 you tried to draw between this analysis in Monograph 13 and  
12 Dr. Garfinkel's analysis in the Banbury report. Would you try  
13 and explain that.

14 THE WITNESS: Let me explain. Dr. Garfinkel said: If  
15 people changed their brands, did they smoke more, the same or  
16 less? Just those three bins, if you will.

17 What this analysis says is: Let's look at whether there's  
18 a relationship between the reported numbers of cigarettes smoked  
19 on the first brand and on the second brand and the difference in  
20 nicotine yield on the first brand and the second brand. So this  
21 is a more quantitative analysis and Dr. Garfinkel's was more sort  
22 of: Did people change from smoking more than they used to to  
23 less than they used to? And this one tries to get at quantifying  
24 that relationship.

25 BY MR. MCDERMOTT:

1 Q. Doctor, in fairness, you haven't really drilled down into  
2 either the Garfinkel analysis or this analysis, have you? You  
3 really can't speak beyond what appears on the page here, can  
4 you?

5 A. The page is all I have. I don't have the source data to  
6 look at.

7 Q. You didn't prepare it? You didn't participate in the  
8 construction of this chart? You're not familiar with how the  
9 underlying data was analyzed as such, are you?

10 A. Well, from what is shown here in the Garfinkel study as  
11 well, I can certainly surmise what kind of analysis was done.

12 MR. MCDERMOTT: Let's see on the screen JD 065989.

13 Excuse me, Your Honor. We have a technical glitch.

14 BY MR. MCDERMOTT:

15 Q. This is an expert report prepared by Dr. Wecker in this  
16 case. And as you see -- and he performed a reanalysis of the  
17 same data and came out with a perfectly flat line. That's an  
18 overstatement, a flat line. No statistically significant slope.

19 Now, let me ask you, Doctor: Have you seen this analysis  
20 before?

21 A. No, I have not.

22 Q. Okay. But if this analysis is correct, if this is a more  
23 accurate analysis of the underlying data, this slope would  
24 indicate, would it not, that people who switch do not tend to  
25 smoke more cigarettes; is that right?



1 MS. EUBANKS: Your Honor, there is a lack of foundation.  
2 The witness has testified regarding the previous exhibit, which  
3 is similar to this, that he didn't have anything but that  
4 document before him and couldn't offer testimony on it. He's  
5 certainly not competent to offer testimony on one page of  
6 defense -- the exhibit that's put before him that purportedly  
7 defendants' expert has put together. It's not appropriate  
8 examination. It's certainly beyond the scope of cross and it  
9 goes far beyond the witness's qualifications to testify.

10 MR. MCDERMOTT: Your Honor, I'm not asking Dr. Samet to  
11 choose sides and say which is the more accurate analysis. We'll  
12 present testimony on that. I'm asking if he agrees that if this  
13 analysis is accurate, what it means is smokers who switch from  
14 high yield to low yield cigarettes do not on average smoke more  
15 cigarettes per day.

16 MS. EUBANKS: The objection is the same, Your Honor.  
17 There's a complete lack of foundation to throw up a chart like  
18 this.

19 THE COURT: If the doctor can answer the question, he may.

20 THE WITNESS: The only comment I can make is that there is  
21 a difference between a flat line shown here and the negative line  
22 shown in the prior exhibit.

23 BY MR. MCDERMOTT:

24 Q. And a flat line would mean that smokers would not  
25 increase their cigarettes per day as they switch from a higher

- 1 yield to a lower yield product, correct?
- 2 A. That would be the interpretation of a flat line.
- 3 Q. Thank you. Now, Dr. Samet, the hypothesis that Monograph  
4 13 was dealing with; that is, that smokers who switch to lower  
5 yield products might smoke more cigarettes per day -- it's  
6 possible to test that hypothesis, isn't it, simply by removing  
7 the control of cigarettes per day and seeing what happens?
- 8 A. I don't think I follow you.
- 9 Q. You don't follow me? The concern you have is, to pick  
10 numbers out of the air, if somebody who smoked 20 cigarettes per  
11 day of a high yield product switched to a low yield product,  
12 that person might end up smoking 25 cigarettes per day, correct?  
13 That's the kind of cigarette-per-day compensation you're  
14 concerned about?
- 15 A. Concerned about an increase in numbers, correct.
- 16 Q. Okay. And one of the reasons that you argue or contend  
17 that the apparent lower relative risk experienced by low tar  
18 smokers, low yield cigarette smokers, is an artifact of  
19 comparing 20 cigarette-a-day smokers of high yield with 20  
20 cigarette-a-day smokers of low yield when in fact you should be  
21 comparing 20 cigarette-a-day smokers of high yield products with  
22 25 cigarette-a-day low yield products.
- 23 Again, the numbers are approximate. I'm just trying to  
24 get the idea.
- 25 A. I think the general point that one would want to use the

- 1 actual number of cigarettes smoked, whether higher yield or  
2 lower yield smokers, is correct.
- 3 Q. Okay. But my question is, Doctor, if that's the concern,  
4 one way to test whether that's a problem is just to eliminate  
5 the controls for cigarettes per day, right, and just use all  
6 smokers of high yield and all smokers of low yield product and  
7 not control or adjust the cigarettes per day?
- 8 A. Well, I think probably the way to do it would be to  
9 estimate the risks associated with the actual numbers of  
10 cigarettes smoked within the groups and make the proper  
11 comparison, using the information available if one had it.
- 12 Q. But, Doctor, if you just removed the controls of  
13 cigarettes per day and the lung cancer risk and the difference  
14 in lung cancer risk between high yield and low yield smokers  
15 remained the same or are approximately the same, wouldn't that  
16 suggest that the cigarette-per-day compensation hypothesis that  
17 you are advancing was not correct?
- 18 A. Again, it's not the comparison I would make. I think I  
19 said what the comparison I would make would be.
- 20 Q. All right. Do you know Dr. Jay Lubin?
- 21 A. Yes, I do.
- 22 Q. In fact, you and he have co-authored several different  
23 articles, haven't you?
- 24 A. Yes, we have.
- 25 Q. Let me show you JD 061657. This is an article entitled

1 "Patterns of Lung Cancer Risk According to Type of Cigarettes  
2 Smoked," published in the International Journal of Cancer in  
3 1984.

4 Let me turn to page 571, the second sentence under the  
5 heading: "The risk of lung cancer was higher among exclusive  
6 nonfilter smokers and mixed smokers than among lifetime filter  
7 smokers after adjusting for cessation in either the years of use  
8 or number per day."

9 That's number of cigarettes per day, correct?

10 A. Yes, that's what it says.

11 Q. All right. Let's turn to Table 5 at page 572.

12 And there are two separate analyses, correct, Doctor, one  
13 where you control and one where you do not control for cigarettes  
14 per day?

15 A. I guess you're referring to the fact that there's  
16 analyses that are broken up or stratified by number per day and  
17 then they have what is called the "adjusted."

18 Q. Correct. And at the very top of the chart, it reports  
19 that for males, smokers of exclusively nonfiltered cigarettes,  
20 they had a relative risk of 1.8 compared to the smokers of  
21 nonfilter cigarettes, correct, that number?

22 Do you see that?

23 A. I'm sorry, no. Where are you --

24 Q. Okay. If you just --

25 A. Yes, I do. Yes.

- 1 Q. Filter cigarettes, 1, compared to 1.8?
- 2 A. Yes.
- 3 Q. See that?
- 4 THE COURT: Compared with 1.8?
- 5 MR. MCDERMOTT: Excuse me. Compared with 1.0. I'm sorry,
- 6 Your Honor.
- 7 BY MR. MCDERMOTT:
- 8 Q. And smokers of exclusively nonfilter cigarettes had a
- 9 relative risk of lung cancer of 2.5 compared to exclusively
- 10 filter, correct?
- 11 A. That's within the group of one to 29 years of smoking.
- 12 Q. The same basic relationship obtains, though, does it not,
- 13 when you adjust?
- 14 A. I guess I will assume that the data by years of use are
- 15 not adjusted and the one that says "adjusted" is adjusted for
- 16 years of use.
- 17 Q. Let's go back to 571, where it talks -- let me see, where
- 18 is that adjustment?
- 19 Okay. "After adjusting simultaneously for years of use,
- 20 number smoked per day and years since cessation, smoking
- 21 nonfilter cigarettes exclusively, on mixed brands, resulted in a
- 22 1.7-fold -- 1.6-fold excess risk for males and a 2.0 for excess
- 23 risk for females compared to smoking filter brand cigarettes."
- 24 So that when you make that adjustment, the relative risk
- 25 doesn't change, right? 1.8 versus 1.7?

1 A. I'm sorry. Are you referring to the 1.8 in Table 5?

2 Q. Yes, that's correct.

3 A. So that is -- at least I think that is adjusted for years  
4 of use, as I interpret this table.

5 Q. And this is adjusted for cigarettes per day. There's no  
6 change in the relative risk; there's no material change whether  
7 you adjust for cigarettes per day or not, correct?

8 A. I don't think the one -- again, I think that 1.8 is not  
9 adjusted for cigarettes per day, but for years of use, as I  
10 interpret this table.

11 Q. And, Doctor, we probably don't have time for you to give  
12 me a tutorial. Let's move on.

13 Dr. Lubin is with the National Cancer Institute, isn't  
14 he?

15 A. Yes, he is.

16 Q. And so is Dr. Bob Tarone?

17 A. Yes, he is.

18 Q. Okay. And neither one of these gentlemen is affiliated  
19 with the tobacco industry, to your knowledge, correct?

20 A. That's correct.

21 Q. They commented on Monograph 13, didn't they, as it was  
22 being prepared?

23 A. I believe they wrote peer review comments.

24 Q. Okay. And you reviewed their comments during the course  
25 of your participation in the preparation of Monograph 13, didn't

1    you?

2    A.       I reviewed the various peer review comments on the  
3    monograph.

4    Q.       Okay.  And didn't they specifically note that:  "The lung  
5    cancer risk amongst smokers of lower tar cigarettes are lower  
6    both in studies that control for cigarettes per day and in  
7    studies that do not control for cigarettes per day"?

8    A.       I don't remember those particular comments.

9    Q.       Okay.  Let's look at Dr. Tarone's comments.  JD 063687.

10   A.       Excuse me.  I think I've been handed the wrong exhibit.

11   Q.       I think you have been, too.  Here you are.

12            "Analytic studies -- i.e., case control studies -- and  
13   cohort studies have been very consistent in showing lower lung  
14   cancer risk with reduced cigarettes per day."

15            Carrying on:  "The Burns et al. chapter" -- and that's  
16   the chapter we were just looking at, Chapter Four, right? --  
17   "attributes the low risk estimates obtained from analytic  
18   studies largely to bias.  In particular, Burns et al. claimed  
19   that adjustment for smoking intensity, the number of cigarettes  
20   per day, induces bias because people who switched to lower tar  
21   and nicotine cigarettes increased the number of cigarettes per  
22   day."

23            And then he goes on to say:  "Not all studies have  
24   adjusted for smoking intensity.  However, even those that do not  
25   adjust for smoking intensity tend to show reduced risk."

1           That is at odds with and tends to -- that is inconsistent  
2 with your opinion, isn't it, Dr. Samet, that smokers who switch  
3 tend to smoke more cigarettes per day?

4       A.       This is not particularly relevant to that. I think,  
5 again, my comments about Table 4.1 and what the evidence in  
6 Table 4.1 shows are consistent with what Lubin and Tarone are  
7 saying here. @@

8       Q.       Let's go on: "Moreover, Burns et al. present little  
9 direct evidence that such bias exists, even though they could  
10 investigate the presence of such bias; e.g., using a CPS-I  
11 study. Lubin et al. published two papers reporting a large case  
12 control study of lung cancer in Europe."

13           All right. Down here: "The risk estimates reported for  
14 filter cigarettes compared to nonfilter cigarettes were  
15 virtually identical in the two papers."

16           I'm sorry. I got that backwards. Let me go on.

17           "As summarized in Table 4.1, Burns et al. analysis of one  
18 paper" -- and that's the paper that Dr. Lubin himself wrote --  
19 correct -- we just saw?

20       A.       Yes.

21       Q.       Okay. "Adjusted for duration of smoking, number of  
22 cigarettes per day, while analysis in the second paper, British  
23 Medical Journal, adjusted only for duration of smoking. The  
24 text incorrectly" -- and so forth. That's not material.

25           "Burns et al. conjecture that the evidence for reduced



1 risk of low tar cigarettes from CPS-I study might have resulted  
2 from such bias in adjusting for smoking intensity. It is  
3 puzzling that Burns et al. do not present direct evidence for  
4 such a bias."

5 Correct?

6 A. That's what it says, correct.

7 Q. Didn't Dr. Tarone and Dr. Lubin take strong issue with  
8 the approach that Dr. Burns took in analyzing the CPS-I data and  
9 attributing all of the risk reduction that has been shown in  
10 prior EPI studies to an increase in cigarettes per day?

11 A. I'm not aware that the monograph where Dr. Burns  
12 attributed all, to use your words, of the risk reduction to this  
13 potential bias. Clearly, Drs. Lubin and Tarone are expressing  
14 their point of view here about the analyses that were included  
15 in the draft monograph.

16 Q. They disagree with it, right?

17 A. Their viewpoints are stated here.

18 Q. Okay. Dr. Samet, can you point me -- you cannot point  
19 me, can you, to a single study that you considered wherein the  
20 observed risk -- excuse me -- observed reduction in lung cancer  
21 risk among smokers of lower tar cigarettes disappeared entirely  
22 or substantially when control for cigarettes per day was  
23 removed, can you?

24 A. I probably could not.

25 Q. All right. You stated in your direct examination that:

1 "If changes in cigarette yields had any benefit, you would  
2 expect the risks of lung cancer to be dropping over time as FTC  
3 tar and nicotine yields decline." Correct?

4 A. Correct.

5 Q. In other words, your testimony is predicated in large  
6 part on the expectation that the lung cancer rates should have  
7 dropped with the lower tar and nicotine yields, but they didn't  
8 decline; is that right?

9 A. Relative risks in particular.

10 Q. Correct. And so the hypothesis, I take it, is that if  
11 all other factors affecting lung cancer risk in smokers remained  
12 constant except for the tar and nicotine yield, when you  
13 delivered less tar and nicotine on average, you would expect the  
14 lung cancer -- the relative risk to go down if those cigarettes  
15 conferred any benefit -- risk reduction benefit, right?

16 A. That's the hypothesis.

17 Q. All right. But if other factors besides the tar delivery  
18 of the cigarettes affected the lung cancer risk and didn't  
19 remain constant, the question becomes a little bit more  
20 difficult to analyze, doesn't it?

21 A. Well, if such factors are changing over time in the same  
22 way that tar and nicotine yield were, they would need to be  
23 taken into account.

24 Q. Okay. Isn't it true, Dr. Samet, that the increase in  
25 lung cancer rates which we've seen in recent years, could be

1 accounted for or could be the result of unmeasured differences  
2 in early-life smoking in the different populations of smokers  
3 studied?

4 A. I'm sorry. Can you be more specific?

5 Q. All right. Let's look at JD 000540. It's an article  
6 written by Dr. Michael Thun entitled "Changes in Mortality From  
7 Smoking From Two American Cancer Society Prospective Studies  
8 Since 1959."

9 Let me direct your attention to page 425, where Dr. Thun  
10 writes: "So the rise in lung cancer death rates from CPS-I to  
11 CPS-II." That's the increase you were talking about, correct,  
12 Doctor?

13 A. This is one of the few opportunities that we have to  
14 observe changing relative risks over time.

15 Q. Okay. "May reflect unmeasured differences in early life  
16 smoking."

17 That's what Dr. Thun posits, correct?

18 In fact, one of your charts that you showed earlier today  
19 showed a huge increase in the cigarette consumption from the  
20 '30s to the '50s, correct, Doctor?

21 A. That's correct.

22 Q. From the chart? Okay. So unmeasured differences in  
23 early life smoking.

24 Let's go to JD 064118. This is an article written by  
25 Dr. Thun, "Excess Mortality Among Cigarette Smokers: Changes in

1 a 20-Year Interval," published in the American Journal of Public  
2 Health in 1995. And let me direct your attention to page 1228,  
3 right-hand column, second paragraph.

4 "Thus the increase in death rates from lung cancer seen  
5 from CPS-I to CPS-II may reflect unmeasured heavier smoking in  
6 CPS-II during the 1940s and '50s even when current daily  
7 cigarette consumption and years of smoking appear to be  
8 equivalent among middle aged smokers in the two studies."

9 If that's true, if smoking intensity was much heavier in  
10 the CPS-II population, that could very well account for the rise  
11 in lung cancer rates which you have commented on, correct,  
12 Doctor?

13 A. I think Dr. Thun is being a very careful and cautious  
14 interpreter of his information here.

15 Q. Let me show you JD 000540, Thun et al., "Changes in  
16 Mortality From Smoking: Two American Cancer Society Prospective  
17 Studies Since 1959," published in Preventive Medicine in 1997.

18 Let me direct your attention to page 424: "Early life  
19 smoking increased markedly around World War II when CPS-II  
20 smokers were adopting the habit." Do you see that.

21 A. Yes, I do.

22 Q. That's consistent with your chart showing a huge jump in  
23 smoking, World War II and thereafter, isn't it, Doctor?

24 A. This is one of the points where there was an increase.

25 Q. Okay. Let me show you a letter from Sir Richard Peto to

1 Dr. Benowitz, dated July 17th, 2000. It's JD 004426.  
2 This was something that relates to your work on Monograph  
3 13, correct?  
4 A. I would need a minute to look at this letter.  
5 Q. Certainly. Take your time.  
6 A. I believe this was written in relation to Monograph 13,  
7 although it doesn't say specifically.  
8 Q. Okay. Do you recall seeing this particular exhibit  
9 during your involvement in Monograph 13?  
10 A. I've seen this information a number of times, probably in  
11 the context of Monograph 13 as well as others.  
12 Q. All right. And let's go to -- let me see.  
13 Can you scroll through it, where it says "among older  
14 men"? Do you have "among older men"?  
15 "Among older men and among women, the trends are  
16 dominated by the massive increase caused by replacement of  
17 smokers who have not smoked substantial numbers of cigarettes  
18 throughout adult life by smokers who had done so. This change  
19 involves not only the age when the first cigarette is smoked,  
20 but also the intensity of cigarette smoking in early adult  
21 life."  
22 Correct?  
23 A. That's what he says, yes.  
24 Q. Okay. Do you agree with Dr. Peto that increases in early  
25 life smoking during the 20th century have affected lung cancer

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1 rates?

2 A. Well, I think, first, in the context, I think Richard  
3 Peto here is referring to the UK experience and describing his  
4 impression of what smoking patterns would have been. I think  
5 it's likely that -- we know that there was a starting of smoking  
6 earlier in life across at least the earlier part of the century  
7 in men and across the mid century in women.

8 Q. So you think this comment is confined to the UK; is that  
9 your recollection?

10 A. My recollection of Richard's discussion of this relates  
11 to interpretation of the data below, which are for the UK,  
12 correct.

13 Q. Okay. And he also made the same case with respect to the  
14 U.S. populations, didn't he, Dr. Samet?

15 A. My -- I don't believe that Richard has extended these --  
16 his arguments about the change in rates in the younger age  
17 cohorts have, to my knowledge, been confined to the UK rates.

18 Q. Let me show you JD 000529. This is an article authored  
19 by Peto and Sir Richard Doll and others and appears in the  
20 British Medical Journal and was published in 2000.

21 Let me turn to the discussion, pages 327 and 328.

22 Okay. "By 1950, the increase in smoking was too recent  
23 to have had its full effects on disease rates except perhaps  
24 among men in early middle age. The fact that by 1990, many of  
25 the current smokers would have smoked substantial numbers of

1 cigarettes throughout adult life is the chief reason for the  
2 large increase in the cumulative risks of lung cancer among  
3 continuing smokers. For the same reason, increases in risk  
4 associated with smoking were also seen between the first 20  
5 years, 1951 to 1971, and the next 20 years, 1971 to 1991, of  
6 follow-up in the prospective study of smoking death among  
7 British doctors and between the two large prospective studies  
8 carried out by the American Cancer Society in the 1960s and the  
9 1980s."

10 Same phenomenon, in Sir Richard's opinion, apply to both  
11 sets of populations, correct?

12 A. That's true. But again, the sentence not seen, which is  
13 right above, is that his information that's all in relationship  
14 to the UK and he's now extended these arguments to the United  
15 States.

16 Q. And he reviewed the literature with respect to that,  
17 didn't he? He has citations here?

18 A. I don't know the basis for -- he's citing simply here the  
19 American Cancer Society studies, but not patterns of smoking in  
20 the U.S.

21 Q. Okay. Do you agree with Dr. Peto that smoking in early  
22 life is the chief reason for the increases seen in lung cancer  
23 risk in the second half of the 20th century? Let me ask you  
24 first with respect to the UK.

25 A. Lung cancer has gone up for many reasons in the second

1 half of the 20th century. Certainly there were more smokers;  
2 the number of women smoking has gone up, so the rise in smoking  
3 is far more than an increase in -- I'm sorry -- lung cancer is  
4 far more than an increase in early life smoking alone.

5 Q. I'll ask you again: Do you agree with Dr. Peto that  
6 increases in early life smoking are the chief reason for  
7 increases in lung cancer risks observed in the second half of  
8 the 20th century?

9 A. I need some help, whether you mean lung cancer risks,  
10 lung cancer relative risks -- what you're referring to, to  
11 answer that question.

12 Q. Lung cancer relative risks.

13 A. I think that is one potential theory. I think that is a  
14 contributing explanation. There may be others.

15 Q. So basically, we may have two conflicting -- we may have  
16 two different forces in play. One could be an increase in  
17 intensity of smoking in early life with later study populations  
18 that would tend to drive up the relative risks; and then we have  
19 the operation of the changes in cigarette designs and the  
20 lowering of tar.

21 And whether that would move it up or down or level it  
22 off, it's pretty hard to say in the abstract, isn't it, Doctor?

23 A. Well, I think perhaps the point is that -- again, with  
24 the limited information we have, particularly within comparable  
25 populations, CPS-I and II, the relative risks of smoking have



1 gone up approximately 12 in men in CPS-I and roughly 20-fold  
2 increase in CPS-II.

3 Q. But it is certainly possible, isn't it, Doctor, that the  
4 influence of increased smoking intensity in the latter half of  
5 the 20th century could outweigh real benefits that might be  
6 conferred by smoking lower delivery products -- again, talking  
7 about lung cancer risk; isn't that correct?

8 A. I think I've commented elsewhere on the difficulty of  
9 trying to sort this out. I think, again, the evidence shows  
10 increasing relative risks and an increase -- a decrease in the  
11 age of starting to smoke may have contributed to that.

12 Q. Let's go to JD 00540. Again, this is the Thun article.  
13 And let's go to page 424. It's a discussion in the second  
14 paragraph, 424; the second paragraph, page 424, starting with  
15 "First."

16 "First, although the sharp increase in lung cancer death  
17 rates from CPS-I to CPS-II is clearly an adverse consequence of  
18 cigarette smoking, we cannot tell whether lung cancer risk might  
19 not have increased even more but for the reduced tar yield in  
20 cigarettes. Early-life smoking increased markedly around World  
21 War II, when CPS-II smokers were adopting the habit."

22 This is clearly dealing with the U.S. population,  
23 correct?

24 A. Yes.

25 Q. And Dr. Thun is positing that while the intensity of

1 smoking may have pushed the relative risks of lung cancer up, it  
2 is quite possible that this was mediated or ameliorated by  
3 reduced yield products, correct?

4 A. Certainly he does not say it's quite possible. He offers  
5 that possibility, but he did not say "quite possible."

6 Q. All right. We'll use his words. "We cannot tell whether  
7 the risk -- lung cancer risk might not have increased even more  
8 but for the reduced yield -- tar yield in cigarettes."

9 What he's saying there is if it weren't for low yield  
10 products, it might have been worse, correct?

11 A. He offers that hypothesis.

12 Q. Okay. And this is the same hypothesis -- this is the  
13 same argument that Sir Richard Peto advanced with respect to the  
14 UK population, based on his work in England -- correct? -- that  
15 the advent of lower yield products did, in fact, lower the  
16 relative risk of lung cancer even in the face of contrary forces  
17 as a result of increased smoking intensity, correct?

18 A. Richard has interpreted temporal patterns of changes in  
19 rates and in relative risks as possibly reflecting consequences  
20 of yield of products.

21 Q. Okay. Let's look at JD 004426 and let's go to page 2.  
22 "My" -- second page.

23 "My interpretation is that the hazard among UK male  
24 cigarette smokers age 40 is a bit lower in 1995 than it was in  
25 1955 despite the fact that smokers age 40 in 1995 probably

1 smoked a lot more in their teen-age years than smokers age 40 in  
2 1955. Hence, I conclude that if" -- a big "if" -- "we ignore  
3 the extent to which changes in cigarette competition may have  
4 directly or indirectly increased cigarette consumption in  
5 various ages, the lung cancer hazards of the way smokers  
6 actually use one pack a day are less than half of what they used  
7 to be."

8 Do you agree with Sir Richard that today's cigarette --  
9 with today's cigarettes, the lung cancer hazards of the way  
10 cigarette smokers actually use one pack a day are less than half  
11 of what they used to be?

12 A. Again, this is Richard's interpretation, based on the  
13 changing patterns of lung cancer rates in the UK. It's one of  
14 the pieces of information that those of us who have taken on the  
15 challenge of trying to understand changing cigarette yields have  
16 used. It argues for some reduction in risk associated with  
17 change in yield.

18 How much? It certainly offers no quantification. And I  
19 respect Richard's opinion and here it is.

20 Q. Okay. And his opinion is inconsistent with the opinion  
21 you're offering in this case, isn't it, Doctor?

22 A. This is, again, one line of evidence that I have  
23 considered and others have considered, including the IARC  
24 working group, of which Richard was a member.

25 Q. His opinion that lower yield products did confer some

1 benefit, i.e., contributed to the reduction of relative risk  
2 with respect to lung cancer -- you disagree with that, don't  
3 you? Or he disagrees with you?

4 A. I -- again, this is his interpretation of the  
5 information. It's -- I don't know how he's precisely come to  
6 this quantification, so I can't speak to that. I have a letter  
7 in front of me, not a scientific report.

8 Q. Okay. Well, let's look at JD 004427. This is a letter  
9 to Dr. Burns, again in connection with Monograph 13, correct?  
10 It's dated August 14, 2000.

11 You've seen this before, have you not?

12 A. I believe I've seen this letter. And again, it's --  
13 while not specifically stated in relation to Monograph 13, I  
14 believe it was.

15 Q. Okay. And he says: "At the start, I would like to put  
16 on record my main comments about how best to interpret the U.S.  
17 and the UK lung cancer time frames in relation to cigarette  
18 consumption and composition."

19 Correct?

20 A. Correct.

21 Q. Okay. And he argues that instead of just looking at  
22 cohort data, huge populations, that instead you look at  
23 age-specific trends, correct?

24 A. Well, he says: "Analyses of age-specific trends may be a  
25 more reliable indicator." That's what he says.

1 Q. Okay. Let's go to the next page. And he presents data  
2 with respect to U.S. males age 35 to 39 that run from 1968 to  
3 1997, right? At the top of the page there?

4 A. Correct.

5 Q. Okay. And he comments: "Men in early -- for men in  
6 early age, the decrease in lung cancer mortality is  
7 substantially larger than can plausibly be explained by changes  
8 in the prevalence of smoking among adults."

9 Correct?

10 A. Sir, give me a moment. Where is that?

11 Q. It's highlighted right there on the screen, Doctor.

12 A. That's his comment, yes.

13 Q. Okay. He also notes in the letter that --

14 Can you scroll down a little bit.

15 He makes a specific reference to -- here we go: "Since  
16 1986, the UK and the U.S. trends among men in early middle age  
17 have been very favorable." Right?

18 And then he encloses copies and he says: "Don't let  
19 mathematical models obscure this." Right?

20 He then cautions at the bottom of this letter: "European  
21 countries such as France and Poland illustrate how bad male lung  
22 cancer mortality trends could have been in middle age. Copies  
23 enclosed."

24 And then he encloses some analyses, right? Let's look at  
25 those.

1           Let's go to page 11 of this exhibit. Do we have the  
2 attachments?

3           I think it's page 11 of this exhibit.

4           MS. EUBANKS: We don't have that.

5 BY MR. MCDERMOTT:

6 Q.       All right. And in particular --

7           (Discussion had off the record.)

8 BY MR. MCDERMOTT:

9 Q.       Doctor, we're having technical difficulties. Let me move  
10 on.

11          THE COURT: Well, it is 4:30. I had hoped we could  
12 finish. How much more do you think you have?

13          MR. MCDERMOTT: Not very much more, Your Honor. Ten or  
14 15 minutes.

15          THE COURT: I think, given that we're all going to be here  
16 for the long haul, I'm going to do my best to keep to the  
17 schedule that we announced. There will be days, naturally, when  
18 we'll go later than 4:30, but at least for now, I'm going to keep  
19 to it.

20          There is going to be some cross by Lorillard; is that  
21 right?

22          MR. MINTON: Just a few minutes, Your Honor.

23          THE COURT: And then, Ms. Eubanks do you have any sense  
24 yet on how long your redirect will be?

25          MS. EUBANKS: Probably no more than ten or 15 minutes,

1 Your Honor.

2 THE COURT: So you'll be getting to your nonexpert  
3 witnesses tomorrow?

4 MS. EUBANKS: That's correct, Your Honor.

5 THE COURT: All right, everybody. Thank you.

6 Dr. Samet, you may step down.

7 I'll see everybody at 9:30 tomorrow.

8 (Proceedings adjourned at 4:31 p.m.)

9

10

11

12

13 C E R T I F I C A T E.

14

15

16 I, Scott L. Wallace, RDR-CRR, certify that the  
17 foregoing is a correct transcript from the record of proceedings  
18 in the above-entitled matter.

19

20 -----  
21 Scott L. Wallace, RDR, CRR  
22 Official Court Reporter

23

24

25

26

27

28

29

Scott L. Wallace, RDR, CRR  
Official Court Reporter

1	I N D E X	
2		
3	Examinations	Page
4		
5	CONTINUED CROSS-EXAMINATION OF JONATHAN M. SAMET, M.D.	1138
6	BY MR. McDERMOTT	

7		
8	E X H I B I T S	
9	Description	Page
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		